

COMMONWEALTH OF PENNSYLVANIA  
HOUSE OF REPRESENTATIVES

ENVIRONMENTAL RESOURCES AND ENERGY  
COMMITTEE HEARING

STATE CAPITOL  
EAST WING  
ROOM 60  
HARRISBURG, PENNSYLVANIA

THURSDAY, MAY 21, 2009  
1:05 P.M.

PRESENTATION ON HOUSE BILL 80

BEFORE:

HONORABLE CAMILLE "BUD" GEORGE, MAJORITY CHAIRMAN  
HONORABLE BRYAN BARBIN  
HONORABLE EUGENE DePASQUALE  
HONORABLE R. TED HARHAI  
HONORABLE DAVID R. KESSLER  
HONORABLE STEVEN J. SANTARSIERO  
HONORABLE TIM SEIP  
HONORABLE GREG VITALI  
HONORABLE SCOTT E. HUTCHINSON, MINORITY CHAIRMAN  
HONORABLE MATT GABLER  
HONORABLE CHRIS ROSS  
HONORABLE RANDY VULAKOVICH

\* \* \* \* \*

**DEBRA B. MILLER REPORTING**  
**(717) 439-3785**  
***dbmreporting@msn.com***

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

ALSO PRESENT:  
E. THOMAS KUHN  
MAJORITY EXECUTIVE DIRECTOR  
EDWARD P. YIM, ESQ.  
MAJORITY LEGAL COUNSEL  
JOSEPH A. DEKLINSKI  
MINORITY EXECUTIVE DIRECTOR

DEBRA B. MILLER  
REPORTER

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

I N D E X

TESTIFIERS

<u>NAME</u>	<u>PAGE</u>
JOHN HANGER SECRETARY, PA DEPARTMENT OF ENVIRONMENTAL PROTECTION (DEP).....	9
JOHN QUIGLEY ACTING SECRETARY, PA DEPARTMENT OF CONSERVATION AND NATURAL RESOURCES (DCNR).....	39
DR. NORMAN SHILLING GASIFICATION CARBON LEADER, GE ENERGY (USA), LLC.....	57
MICHAEL WELSH INTERNATIONAL REPRESENTATIVE, INTERNATIONAL BROTHERHOOD OF ELECTRICAL WORKERS (IBEW) THIRD DISTRICT.....	70
NATHAN WILLCOX ENERGY AND CLEAN AIR ADVOCATE, PENN ENVIRONMENT.....	77

SUBMITTED WRITTEN TESTIMONY

\* \* \*

DOUGLAS L. BIDEN, PRESIDENT, AND TERRANCE J. FITZPATRICK, GENERAL COUNSEL, ELECTRIC POWER GENERATION ASSOCIATION.....	94
GEORGE ELLIS PRESIDENT, PENNSYLVANIA COAL ASSOCIATION.....	96

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

HANDOUTS

\* \* \*

ANDREW PLACE  
RESEARCH FELLOW,  
DEPARTMENT OF ENGINEERING  
AND PUBLIC POLICY,  
CARNEGIE MELLON UNIVERSITY..(see attached handout)

JOHN CURTIS  
FOUNDER AND CEO, GREEN ENERGY  
CAPITAL PARTNERS, LLC.....(see attached handout)

MAUREEN MULLIGAN  
THE SOLAR ALLIANCE.....(see attached handout)

## 1 P R O C E E D I N G S

2 \* \* \*

3 CHAIRMAN GEORGE: The Environmental  
4 Resources and Energy Committee hearing will  
5 proceed.

6 House Bill 80 amends Act 213 of 2004, known  
7 as the Alternative Energy Portfolio Standards Act, by  
8 amending definitions for alternate energy sources and  
9 Tier II alternate energy sources and adding other  
10 definitions, further providing for alternate energy  
11 portfolio standards.

12 This bill also establishes guidelines for  
13 the establishment of a carbon dioxide sequestration  
14 network. DCNR will collect the fees from entities  
15 involved with the carbon sequestration network in  
16 order to pay for the development and operational  
17 costs of the network.

18 A carbon sequestration fund is established  
19 in order to allow the DCNR to carry out these  
20 actions.

21 DCNR is also given the authority to  
22 establish permitting requirements and other  
23 regulations in compliance with this act.

24 I ask the Co-Chairman, the gentleman,  
25 Mr. Hutchinson, if he has any remarks.

1           REPRESENTATIVE HUTCHINSON: Thank you,  
2 Mr. Chairman.

3           I want to thank you for holding this hearing  
4 today.

5           Consumers across Pennsylvania, consumers and  
6 small businesses, are very concerned about the  
7 potential increases in energy prices as we go  
8 forward.

9           There are many things on the horizon. State  
10 Government continues to do things that increase  
11 people's energy prices, and many feel that this bill  
12 will also drastically increase electric prices in  
13 Pennsylvania.

14           So it is important that we take a good, hard  
15 look at this legislation, and I thank you for  
16 scheduling this hearing to do so.

17           Thank you.

18           CHAIRMAN GEORGE: I will also allow for a  
19 moment the gentleman, the chief sponsor,  
20 Representative Vitali, for a few short remarks.

21           REPRESENTATIVE VITALI: Thank you,  
22 Mr. Chairman.

23           The first thing I would like to do is to  
24 thank the Chairman for holding a public hearing on  
25 this important bill.

1           This bill, if fully enacted, will result in  
2 12 million tons of carbon dioxide being taken from  
3 the atmosphere per year, which is the equivalent of  
4 3 million cars per year taken off the road.

5           The second thing I would like to emphasize is  
6 this has been a team effort. There is an identical  
7 Senate Bill. We have worked with Senator Erickson on  
8 this; worked very closely with Representative Ross,  
9 who was instrumental in getting the AEPS bill passed  
10 4 years ago; worked very closely with Representative  
11 DePasquale, who had the initial CCS legislation last  
12 term; along with people like John Quigley from DCNR,  
13 John Hanger from DEP and his staffer.

14           So this is in no way a one-person effort but  
15 it's more of a team effort.

16           The goal of the legislation -- the  
17 legislation has two pieces: increasing the  
18 Alternative Energy Portfolio Standards but also  
19 establishing a carbon capture and sequestration  
20 network.

21           They are closely linked because both pieces  
22 deal with clean energy. Both pieces deal with  
23 reducing CO2 into the air and dealing with climate  
24 change. Both pieces will result in more jobs for  
25 Pennsylvania and increasing our energy independence.

1           We have had input from interest groups.  
2 We've had input from GE. We've had input from  
3 Duke Energy. We've had input from environmental  
4 groups. All have given good input, and we look  
5 forward to their continuing input.

6           Finally, I just want to outline the nuts and  
7 bolts to give us a context for listening to the  
8 following testimony.

9           Specifically what House Bill 80 would do is  
10 increase the Tier I requirements of renewable energy  
11 -- wind, solar, and such -- from 8 to 20 percent  
12 between the years 2021 and 2026. It would not change  
13 the existing requirements up until 2020.

14           The second thing it would do would be to  
15 increase the solar photovoltaic requirements from  
16 .5 percent to 3 percent between the years 2021 and  
17 2026. It would not change any existing legislation  
18 regarding the solar PV carveout.

19           The third thing it would do would be to  
20 require electric distribution companies like PECO and  
21 PPL to require 3 percent of the electricity they  
22 distribute to customers to come from coal-fired  
23 plants which sequester carbon starting in the year  
24 2015.

25           And then the final thing it does would be to



1 require the Department of Conservation and Natural  
2 Resources to establish and operate or contract with a  
3 third party to do the same -- a carbon capture and  
4 sequestration network.

5 Thank you for appearing, and I thank the  
6 Chairman for his indulgence today.

7 Thank you.

8 CHAIRMAN GEORGE: I thank the gentleman.

9 We have a full agenda this afternoon, so  
10 consequently, it is imperative that those presenting  
11 their testimony keep their presentation within the  
12 allotted time. Your complete testimony then will be  
13 submitted for the record along with the others that  
14 are already submitted.

15 It was our intent to have Donald A. Brown,  
16 Associate Professor of Environmental Ethics, Science  
17 and Law, Pennsylvania State University, to testify  
18 today. Unfortunately, Dr. Brown was unable to be  
19 with us.

20 Testifying first is the Honorable  
21 John Hanger, Secretary of the Pennsylvania Department  
22 of Environmental Protection.

23 Good afternoon, Mr. Secretary, and welcome.

24 SECRETARY HANGER: Thank you, Mr. Chairman.

25 It's a pleasure to be here with you and

1 Chairman Hutchinson, members of the committee  
2 also.

3 This is an extraordinary committee. You've  
4 got great expertise within it, as Representative  
5 Vitali mentioned, and we look forward to working with  
6 every member of this committee.

7 I've been trying to get around to each  
8 member of the committee, and my staff at DEP is also  
9 trying to do that. We are in the thinking and  
10 listening phase.

11 We want to commend the sponsors of  
12 House Bill 80 for introducing this bill. And I will  
13 summarize my testimony, mindful of the Chairman's  
14 admonition that we've got to stay on track here. I  
15 will not read word for word.

16 Let me identify some of the key points in  
17 it.

18 The first reason why we are so committed to  
19 this bill and the process that will, I'm sure, lead  
20 to some changes to this bill is that the future of  
21 Pennsylvania is very well served by passing this  
22 bill.

23 In fact, we believe it is vital to the  
24 future of Pennsylvania. And when I say "we," that's  
25 the Department of Environmental Protection, but also

1 the Governor, who I was speaking with this morning  
2 about this very bill.

3 He is very interested in this bill, and he  
4 was very complimentary to all members on both sides  
5 of the aisle who are engaged in talking this out and  
6 working on this.

7 We believe it's so vital to our future  
8 because of the volatility in energy prices that we  
9 have experienced so clearly in 2008, and I will spend  
10 a little bit of time talking about that in a minute.

11 But it's also critically important because  
12 Pennsylvania is competing for jobs in alternative  
13 energy and energy conservation.

14 Unfortunately, since 2004, other States have  
15 actually passed us by. There are a number of States  
16 with whom we compete for this investment who have  
17 much more aggressive requirements than what was  
18 enacted in 2004.

19 Maryland, for example, now has a requirement  
20 of 20 percent by 2022. New Jersey has a renewable  
21 requirement of 22.5 percent by 2021. And Illinois,  
22 25 percent by 2025, and I could go on in that vein.

23 When we are at 8 percent for our Tier I and  
24 0.5 percent for our solar, we are now bypassed by  
25 most States. We are actually at the lower end of

1 the requirements for the renewable part of the  
2 portfolio.

3 We are also here because we are very  
4 strongly committed to helping Pennsylvania prepare  
5 for carbon regulation. That, in fact, has started.

6 The EPA has taken the position that the  
7 Supreme Court decision of a couple years ago requires  
8 the regulation of carbon and carbon sources under the  
9 Clean Air Act.

10 We all are very aware also of the fact that  
11 the Congress of the United States is debating  
12 cap-and-trade legislation.

13 We believe it's critical for Pennsylvania to  
14 be prepared and to be proactive. We believe it's  
15 vital for our economy as well as our environment.

16 This bill does in fact remove 16 million  
17 tons of carbon from the sources in Pennsylvania. It  
18 is the equivalent of taking 3 million cars off the  
19 road.

20 By the way, there are 10 million cars on the  
21 road, so this is a very significant reduction in our  
22 carbon emissions.

23 I would also want to now spend quite a bit  
24 of time talking about perhaps the core reason that we  
25 are in favor of this piece of legislation, and that

1 is the danger that volatility of energy prices and  
2 specifically fossil fuels pose to our economy.

3 In 2008, we got the lesson in a very hard  
4 way. Oil started at \$70 a barrel in January of 2008,  
5 and I think we should remember that that was high by  
6 historical standards. That was a source of concern  
7 and indeed some economic disruption when it was at  
8 \$70 a barrel.

9 By July of 2008, it was at \$147 a barrel,  
10 and at that point, it was crippling. It was causing  
11 major economic damage, including affecting the value  
12 of the dollar.

13 We were shipping overseas when oil was at  
14 \$147 a barrel, the equivalent of \$700 billion, to  
15 meet our 60-percent oil imports.

16 This country is a rich country, even now  
17 after the major economic problems we have  
18 encountered. But we can't afford to ship overseas  
19 the equivalent of \$700 billion just for oil.

20 Now, if it were just oil, one could say,  
21 well, it was unique to oil and it doesn't reflect on  
22 the energy picture generally or the other fossil  
23 fuels. But the same volatility was seen with natural  
24 gas. We went to \$13 for a thousand cubic feet for  
25 natural gas in July of 2008.

1 I'm sure many of you had customers or  
2 constituents who were very concerned about heating  
3 oil. They were probably paying \$4 a gallon, or  
4 looking at paying \$4 a gallon, maybe even more. The  
5 heating oil bills could have been \$4,000, \$5,000,  
6 \$6,000 just to get through one winter in  
7 Pennsylvania.

8 Coal also increased extraordinarily in 2008.  
9 When China moved from being an exporter of coal to an  
10 importer of coal, coal prices went extraordinarily  
11 high.

12 You cannot manage successfully that kind of  
13 volatility. And the volatility, of course, has gone  
14 now in the other direction, and we are seeing it  
15 bounce back up already.

16 By December of 2008, the prices collapsed.  
17 We went from \$147 in the oil market to the equivalent  
18 of about \$33 at the low point in December. It's just  
19 an incredible cratering of the price.

20 Natural gas went from \$13 a thousand cubic  
21 feet in July of 2008 to in April of 2009 about  
22 \$3.30.

23 Now, we're going to use fossil fuels for a  
24 very long time, but the only thing that is certain  
25 about the fossil fuels in terms of the economic

1 impact is that they are extraordinarily volatile, and  
2 that when they do these sharp, sharp gyrations, that  
3 they cause substantial economic disruption.

4 So our major reason for supporting this bill  
5 is to diversify, to diversify how we make  
6 electricity, to include sources other than fossil  
7 fuels in how we make electricity.

8 Now, this bill in many cases is actually  
9 modest when compared to what other States are  
10 proposing, but we believe it's important, critically  
11 important for the security of Pennsylvania's economy  
12 to take this step.

13 In addition to that concern, I think it's  
14 fair enough to ask, how is the AEPS working, the one  
15 that was in fact passed in 2004?

16 I have already expressed that it's our  
17 belief that other States have passed us by, and that  
18 is causing a competitive disadvantage to  
19 Pennsylvania.

20 But so far, I have good news to report on  
21 the compliance with AEPS. Our utilities and others,  
22 who are subject to the requirement of the AEPS,  
23 5 years into it are complying with the AEPS. We are  
24 on track to comply with the AEPS.

25 When we look at the renewable energy

1 projects that are under construction right now, and  
2 then more to the point, others that are in  
3 development in Pennsylvania, just in Pennsylvania we  
4 have more than enough renewable energy resources to  
5 meet the existing AEPS.

6           If you look at the renewable energy  
7 resources that are in development in the PJM Power  
8 Pool -- and Pennsylvania is a part of the PJM Power  
9 Pool. We export through the PJM Power Pool, and we  
10 import through the PJM Power Pool -- there is an even  
11 bigger surplus of renewable energy projects that can  
12 meet our AEPS requirement.

13           So 5 years into the existing AEPS, we are on  
14 schedule, and we have every reason to believe that  
15 we'll remain on schedule and easily meet this  
16 requirement by 2020.

17           In terms of the ratepayer issues, our first  
18 concern, of course, is if we remain so tethered to  
19 the very volatile fossil fuels, we are going to have  
20 extraordinarily high electric prices -- guaranteed --  
21 at some point.

22           When natural gas goes to \$13 for a thousand  
23 cubic feet, electric prices are going to follow.  
24 Take that to the bank. Natural gas increasingly is  
25 setting the price for electricity in the wholesale



1 market, and it will flow through to retail  
2 customers.

3 So if your concern is first and foremost  
4 providing ratepayer protection, we must, we must  
5 diversify how we make electricity. That is  
6 critically, critically important.

7 In addition, this bill has important  
8 ratepayer protection elements that I do want to  
9 emphasis.

10 The first one, of course, is that the  
11 credit price is capped. There is a cap in this bill  
12 for the credit price, as there was in the existing  
13 AEPS.

14 There is a price above which the credits are  
15 not allowed to be passed along to the ratepayer. We  
16 strongly support such caps in this legislation.

17 The other very important protection, of  
18 course, is the force majeure clause. If in fact  
19 there is a problem so that the power from these  
20 sources is not available, then the PUC, after  
21 conducting an appropriate hearing with appropriate  
22 evidence, has the power to declare a force majeure to  
23 deal with that circumstance.

24 Those two protections for ratepayers are in  
25 the bill and also critically important.

1           Lastly, I do want to spend a little bit of  
2 time talking about carbon capture and sequestration.

3           Carbon capture and sequestration is a  
4 critical technology for Pennsylvania. We believe  
5 this technology is also vital to our economy and  
6 vital to our environment. We will get right now  
7 roughly 50 percent of our electricity through  
8 coal-fired generation.

9           And we also know, as I have pointed out,  
10 that the carbon emissions are today regulated by the  
11 EPA through the Clean Air Act, and we also have every  
12 reason to believe that they are going to be regulated  
13 through a new act passed by Congress.

14           Carbon capture and storage technology,  
15 whether one likes it or not, is needed. It is  
16 needed. It is needed environmentally and  
17 economically.

18           I want to particularly focus on the fact  
19 that it is needed because those who insist that we  
20 can supply all of our electric sources or needs from  
21 something other than coal or eliminate coal and rely  
22 on renewables and energy efficiency are in fact  
23 factually incorrect.

24           It's just not possible, at least not in the  
25 next 20 years or 30 years. Maybe at some point in

1 the future, it will be possible. But for the  
2 foreseeable future and for the period of this act at  
3 least, between now and 2026, it is just not going to  
4 happen.

5 So we absolutely need to have this  
6 technology in place so that we can ensure that coal  
7 can be a part of our generation mix and, at the same  
8 time, do so without increasing concentrations of  
9 carbon dioxide in our atmosphere.

10 Now, others have said that carbon capture  
11 and storage is not ready for use. I would answer  
12 those critics by saying in fact it is ready for use  
13 today.

14 And I think it's important to understand  
15 that there is a wide number of stakeholders who agree  
16 with that statement. It's not simply the Department  
17 of Environmental Protection or DCNR. There are  
18 certainly folks in industry who agree with that  
19 statement, but there are also experts at the national  
20 environmental groups.

21 I quote George Peridas of the Natural  
22 Resources Defense Council, who stated as recently as  
23 April 3, 2009, a little more than a month ago, quote,  
24 unquote, "The truth is that CCS technology is ready  
25 to begin deployment at large, commercial scale

1 today."

2 That is the leading spokesman on this issue  
3 for one of the nation's most respected national  
4 environmental groups. He says it's ready to be  
5 deployed at large scale today. He says that's the  
6 truth, and I agree with him. That is the truth.

7 In my testimony, I give examples where  
8 carbon capture and storage technology is being used  
9 today in the world.

10 You should also know that in Pennsylvania,  
11 we have a significant amount of experience with  
12 storing gases. We have 60 storage sites in  
13 Pennsylvania where we are storing right now natural  
14 gas.

15 Around the country each year, we inject over  
16 30 million tons of carbon dioxide underground for the  
17 purpose of enhancing oil recovery. This is not  
18 something that we don't know how to do. It is not  
19 something that we don't have the technology to do.

20 Lastly, I want to really emphasize the  
21 experience that we have here in Pennsylvania, in many  
22 ways the unique experience to lead this country on  
23 carbon capture and storage.

24 DCNR -- and Secretary Quigley is going to  
25 talk more about this -- has 26 geologists. They are

1 acknowledged as experts in the subsurface of  
2 Pennsylvania and who have been working with the  
3 Department of Energy's Midwest Regional Carbon  
4 Sequestration Partnership for a long time.

5 In addition, DCNR has formed a  
6 Science Advisory Committee with some of the country's  
7 and indeed the world's leading experts on carbon  
8 capture and storage technology to advise us on this  
9 technology and the storage of carbon.

10 Lastly, State Government and many  
11 private-sector leaders are partnering with the  
12 Clinton Foundation. The Clinton Foundation has come  
13 to Pennsylvania to do carbon capture and storage  
14 work.

15 They are doing this work in Australia as  
16 well as the Netherlands and in Pennsylvania. They  
17 have provided extraordinary expertise to all of us in  
18 order to help us to develop a network of plants, many  
19 of them existing plants and perhaps a new-build  
20 plant, an advanced coal plant, that could be  
21 networked together and move large amounts of carbon  
22 dioxide that will otherwise go into the atmosphere  
23 and pump it underground in a safe storage site here  
24 in Pennsylvania.

25 It is for all these reasons that

1 Governor Rendell and myself feel so strongly about  
2 this bill. We know that there will be amendments,  
3 and we stand ready to work with you on crafting those  
4 amendments.

5 But as I say that, I do want to end by  
6 complimenting again the authors of the bill and the  
7 sponsors of the bill for starting this critical  
8 conversation.

9 Thank you very much, Mr. Chairman.

10 CHAIRMAN GEORGE: I thank the gentleman for  
11 his testimony.

12 I'm about ready to recognize fellow members  
13 for one question, that I ask for them to direct very  
14 properly, very directly, and for them to be answered  
15 as well.

16 But being the Chairman, I have some  
17 flexibility, if you'll allow me. That's why I would  
18 like to introduce the young lady that just walked in.  
19 That's Edna, my wife of 57 years.

20 Edna, do you want to stand up?

21 So, you know, if she can put up with me for  
22 57 years, you guys can put up with me for a few  
23 more.

24 I turn to the first questioner. Who will  
25 that be? No questions for the Secretary?

1           Oh; the gentleman, Mr. Hutchinson, is  
2 recognized for a question.

3           REPRESENTATIVE HUTCHINSON: Thank you.

4           I guess I wanted to focus, you know, on the  
5 one statement you made about how we as a State must  
6 move in the direction of more solar, more wind, if we  
7 want to keep electric rates down.

8           You know, most of what I have seen is that  
9 these are the highest-costing types of electric  
10 generation out there. You specifically mentioned the  
11 natural-gas aspect and if that price goes up, then  
12 our electric generation rates will follow close  
13 behind.

14           But I guess the thing that puzzles me is why  
15 we as a State are not promoting those -- why are we  
16 not promoting better than we are the production of  
17 natural gas and other fossil fuels that, A, are  
18 cheaper; B, they work; and C, we have lots of it.

19           It just seems like we are tilting at this  
20 thing out here that may or may not work when we have  
21 proven reserves in-State and we are not doing our  
22 utmost to promote those.

23           Can you---

24           SECRETARY HANGER: Are you finished?

25           REPRESENTATIVE HUTCHINSON: Yes.

1           SECRETARY HANGER: I would love to reply to  
2 that.

3           It's a fair question and a fair point of  
4 view. I have, not too surprisingly, some very strong  
5 disagreement with it.

6           First, in 2008, we issued a record number of  
7 natural gas permits -- 8,000 -- four times the number  
8 of natural gas permit drilling permits than were  
9 issued in 1999.

10           I don't know what more we could do to create  
11 a bigger record, but we---

12           REPRESENTATIVE HUTCHINSON: If you didn't  
13 tax it, it would be one. But that's, you know---

14           SECRETARY HANGER: Excuse me?

15           REPRESENTATIVE HUTCHINSON: If we didn't  
16 propose a tax on it, that's one good thing.

17           SECRETARY HANGER: Well, this could get to  
18 be a long hearing here.

19           But I think the first point is that we had a  
20 record year in 2008 for gas drilling. And the  
21 Governor and I are determined to produce the gas, and  
22 also protect the water. Both are equally important,  
23 and both are being done.

24           Natural gas is a very important fuel. It's  
25 one that does have some environmental benefits as



1 well, so you can't produce it with zero environmental  
2 impact.

3 We are committed to developing our existing  
4 resources. And it is, Chairman Hutchinson, not an  
5 either/or question. We need to be doing all of this,  
6 and that is what I'm here today to say.

7 I can absolutely look you right in the eye,  
8 as I'm doing right now, and say we are doing  
9 everything possible to produce the natural gas that  
10 we have. And this Administration agrees with you  
11 that the natural gas reserve is an incredibly  
12 important opportunity for Pennsylvania.

13 Obviously, when natural gas fell to \$3.30,  
14 the amount of drilling around the country has  
15 declined. But I'm absolutely sure that at some point  
16 -- I can't tell you when -- the natural gas price  
17 will rise well above where it fell in April.

18 Indeed it is now about 4 bucks, and oil has  
19 already gone up to about 60 bucks after being at  
20 33 bucks. It has doubled, you know, in 4 or  
21 5 months. The volatility is just astounding.

22 Now, your point about electricity costs.

23 Representative, when natural gas exceeds  
24 about \$6, \$7, or \$8 for a thousand cubic feet, it in  
25 fact becomes more expensive than making electricity

1 from some of these renewable energy resources.

2 It is more expensive to make electricity  
3 when natural gas is at 6 bucks, 7 bucks, or 8 bucks  
4 than generating a modern, efficient wind turbine, for  
5 example.

6 When natural gas is at \$13, and we have seen  
7 that as recently as July 2008 -- and after Katrina,  
8 it was at \$16, Representative, in 2005 -- then  
9 natural gas is extraordinarily expensive. It is much  
10 more expensive than just about all of the other  
11 alternatives. And the fact that natural gas is often  
12 on the margin in the electric marketplace does mean  
13 that electric prices will go up.

14 Now, I'm not saying get rid of natural gas.  
15 I just told you, I agree, we ought to produce natural  
16 gas as much as possible.

17 But I'm also telling you, as sure as I'm  
18 convinced that that position is right, that to fail  
19 to diversify in the ways that this bill does, by  
20 moving to technologies that are not dependent on the  
21 price of natural gas, not dependent on the price of  
22 coal or oil or the fossil fuels, would be a horrific  
23 mistake for the ratepayers that I know you are  
24 genuinely concerned about. It would just be a  
25 terrible, terrible mistake.

1           I personally believe that by 2026, these  
2 fossil fuels will be even more expensive than what  
3 we've seen over the last few years. I could be  
4 wrong; I'll admit that to you. But I am not wrong  
5 that we are going to see great volatility between now  
6 and then, extraordinary volatility that causes  
7 substantial economic disruption.

8           And this piece of legislation helps to  
9 diversify and manage to some extent that risk.

10           CHAIRMAN GEORGE: Has the gentleman  
11 concluded?

12           REPRESENTATIVE HUTCHINSON: Yes.

13           CHAIRMAN GEORGE: The gentleman, Mr. Barbin.

14           REPRESENTATIVE BARBIN: Thank you,  
15 Mr. Chairman.

16           And thank you, Secretary Hanger.

17           (Microphone malfunctioning.) I think I can  
18 go without the microphone.

19           SECRETARY HANGER: Okay.

20           REPRESENTATIVE BARBIN: Your testimony, I  
21 think, was that we had 50 percent---

22           CHAIRMAN GEORGE: If the gentleman will  
23 please turn the mike on. You are being recorded.

24           Shove it away from you.

25           REPRESENTATIVE BARBIN: Thank you.

1           CHAIRMAN GEORGE:  If you have to, step out  
2 in the hall.

3           REPRESENTATIVE BARBIN:  Thank you,  
4 Mr. Chairman.  It does work better this way.

5           Mr. Secretary, is there an investment that  
6 is being considered at the Federal level for those  
7 States that move forward with this type of bill that  
8 have a carbon sequestration network plan?  Is that  
9 true?

10          SECRETARY HANGER:  There is money available  
11 to support carbon capture and storage projects.

12          I have been in Washington twice, once with  
13 the Governor, meeting with Secretary Chu and other  
14 members of the Department of Energy, trying to make  
15 the case to them that Pennsylvania has this, what  
16 I'll call the Pennsylvania Network that the  
17 Clinton Foundation is working with us on, and that  
18 network would be a tremendous place to invest those  
19 Federal dollars that are being set aside  
20 specifically to develop the carbon capture and  
21 storage technology.

22          REPRESENTATIVE BARBIN:  Is there any  
23 question in your mind that the passage of this bill  
24 will help Pennsylvania make a successful approach to  
25 the Federal Government for those funds?

1           If we move forward this bill, will we be in  
2 a better position to access that money?

3           SECRETARY HANGER: Much, much, much better.

4           It's a competitive process. Rightly, DOE  
5 wants to see what private sector companies are doing  
6 -- how much will they invest? -- and they also are  
7 very interested in what States are doing.

8           As Secretary Quigley, I'm sure, will tell  
9 you, we are doing a lot in Pennsylvania to move it  
10 forward.

11           But the DOE is aware of this bill. They  
12 know that this committee has this bill, and they  
13 understand what it will mean if this bill doesn't  
14 move about Pennsylvania's commitment to this  
15 technology and to renewables generally, and they will  
16 also take a different message, a much better message  
17 if this committee moves this bill. It will help us  
18 in Washington with the case that we're making broadly  
19 on energy areas and specifically on carbon capture  
20 technology.

21           REPRESENTATIVE BARBIN: And there has also  
22 been a statement made today that we want to use our  
23 natural gas.

24           Will there be spin-off benefits if we adopt  
25 this carbon sequestration network, which applies for

1 the most part to coal-based industries. Will there  
2 be spin-off benefits in Pennsylvania trying to reach  
3 the answers to where we're going to put our natural  
4 gas brine water or the heavy metals that are  
5 associated with natural gas coming out of the wells,  
6 because there is some discussion right now about  
7 injection wells as a location for the brine water.

8           SECRETARY HANGER: Yeah; around the country,  
9 injection of brine water underground is a use. We in  
10 fact have some small wells in Pennsylvania where  
11 water is injected.

12           Those two issues are perhaps related in the  
13 sense that the more we learn about the geology of  
14 Pennsylvania, the more possible locations that are  
15 appropriate and might be identified. And just as  
16 importantly, where it's not appropriate, you know,  
17 where you shouldn't be doing something like that. In  
18 that sense, there may be some spin-off benefit from  
19 it.

20           What I would like to emphasize is the carbon  
21 capture and storage technology and the network that  
22 we're talking about would service certainly  
23 coal-fired power plants but also steel mills, cement  
24 plants, ethanol plants. It could potentially, I  
25 suppose, service a natural gas plant that is burning

1 carbon dioxide.

2           There are a number of different things,  
3 uses, in our economy that need an ability to capture  
4 and store carbon.

5           Now, this bill is focusing on electricity  
6 production, and in that sense, it's restricted to the  
7 uses in the bill. But as we develop this technology  
8 and as we create the storage, it is our hope and it  
9 is the Clinton Foundation's hope that we can capture  
10 and store carbon from many existing sources.

11           REPRESENTATIVE BARBIN: Okay.

12           And my last question is, do you have any  
13 doubt, based on your written testimony here  
14 concerning Norway and the African carbon  
15 sequestration networks, that if we do this, that if  
16 we do carbon sequestration, that if we do it in the  
17 right way, it will be a safe method for us to deal  
18 with the carbon emissions? Do you have any doubt  
19 about that?

20           SECRETARY HANGER: No, I have no doubt about  
21 that. And more to the point---

22           CHAIRMAN GEORGE: Did you say one question  
23 two questions ago?

24           REPRESENTATIVE BARBIN: I was going to say  
25 thank you, Chairman, for your tolerance. I

1 appreciate the opportunity to question the  
2 Secretary.

3 SECRETARY HANGER: I would just quickly add,  
4 I think it is telling that the Natural Resources  
5 Defense Council says the truth is that CCS technology  
6 is ready to begin deployment at large, commercial  
7 scale today.

8 They are talking about the technology  
9 generally, and they are saying it is ready today.  
10 And that organization is careful. It puts the  
11 environment first. It's an environmental  
12 organization.

13 And it's not alone in saying things like  
14 that about the technology generally. They are not  
15 commenting about the specifics of this bill, but they  
16 are commenting about this technology.

17 REPRESENTATIVE BARBIN: Thank you,  
18 Mr. Chairman.

19 CHAIRMAN GEORGE: It must be contagious.  
20 The gentleman, Mr. Kessler.

21 REPRESENTATIVE KESSLER: Thank you,  
22 Mr. Chairman.

23 You mentioned 16 tons of carbon per year.  
24 Can you tell me how you arrived at that number?

25 SECRETARY HANGER: The 16 million tons; yes.



1           We scoured the bill for the amount of  
2 renewables and the amount of carbon capture and  
3 storage. I think in the Tier I, if I'm remembering  
4 these numbers correctly, the increase in Tier I would  
5 reduce carbon dioxide by roughly 12 million tons, and  
6 then the carbon capture and storage piece could  
7 reduce the emissions by close to 4 million tons.

8           I would be glad to provide you the  
9 calculations specifically.

10           REPRESENTATIVE KESSLER: Okay. So the  
11 coal-fired plants, you're talking about 4 million?

12           SECRETARY HANGER: I believe. I'm working  
13 from my memory, and I believe that's right.

14           REPRESENTATIVE KESSLER: All right.

15           And then you also mentioned that there's a  
16 break-even point as far as wind turbine versus gas.  
17 What was that price again?

18           SECRETARY HANGER: Somewhere between \$6 and  
19 \$8.

20           REPRESENTATIVE KESSLER: Okay.

21           SECRETARY HANGER: It depends on the price  
22 of steel and the components that go into the wind  
23 turbine and to some extent the world wind market.  
24 But somewhere between \$6 and \$8.

25           REPRESENTATIVE KESSLER: Thank you.

1           CHAIRMAN GEORGE: The gentleman, Mr. Gabler.

2           REPRESENTATIVE GABLER: Thank you very much,  
3 Mr. Chairman.

4           Mr. Secretary, thank you very much for your  
5 testimony.

6           My question just involves any projections  
7 that you might have done forward as far as what you  
8 would expect or what the department would expect the  
9 price difference to be should this bill be  
10 implemented.

11           Have you done any research into what  
12 consumers might expect as the energy cost using these  
13 alternative energy sources going forward?

14           SECRETARY HANGER: We are beginning to do  
15 some of that research and to look at those  
16 questions.

17           I mean, the real issue there, to answer that  
18 question definitively, of course, is the assumptions  
19 you make about natural gas.

20           I mean, if in fact natural gas stays at \$3,  
21 \$4, the technologies here, at least at today's  
22 prices, would raise prices somewhat.

23           If natural gas went to \$13 or \$16 for a  
24 thousand cubic feet, then these technologies actually  
25 would have a dampening effect on price.

1           So to be honest with you, depending on what  
2 assumption you plug into a study like that, you can  
3 pretty much get any answer you want. And that is why  
4 I have not come forward and said I know what the  
5 price of fossil fuels is going to be. The only thing  
6 I have come forward and said with certainty about  
7 those prices is that we know they are very, very  
8 volatile.

9           They have been extraordinarily volatile just  
10 since December 2008 and today. Oil has basically  
11 doubled just in 4 months again. It's incredible.  
12 And if you want to stay tethered to that energy  
13 presence, I fear for our future. We cannot stay  
14 tethered to that.

15           REPRESENTATIVE GABLER: Thank you.

16           I would say that aside from the projections  
17 -- and you're right; there could be much volatility  
18 on the fossil fuel market -- aside from those  
19 projections, if we could make projections or if the  
20 department could make projections on what the  
21 expected cost of alternative energies would be.

22           SECRETARY HANGER: Sure.

23           REPRESENTATIVE GABLER: I think that would  
24 be a useful piece of information for the committee to  
25 consider.

1           SECRETARY HANGER:  Sure.  We can grab that  
2 for you.

3           REPRESENTATIVE GABLER:  If that could be  
4 presented in writing, if and when that's available, I  
5 would really appreciate that.

6           SECRETARY HANGER:  We would be glad to do  
7 that.

8           One thing I would point out about the way  
9 the bill is written, it has a cap on the credit  
10 price.  So there is a ceiling beyond which the price  
11 is not allowed to be recovered from ratepayers.

12          REPRESENTATIVE GABLER:  Thank you very much.

13          If the Chairman would indulge me for one  
14 more short question?

15          CHAIRMAN GEORGE:  Yes.

16          REPRESENTATIVE GABLER:  Thank you,  
17 Mr. Chairman.

18          The other question I have, and this is just  
19 something that hopefully -- a concern that I've  
20 heard, and maybe you could shed some light onto it.

21          With some of the alternative energies that  
22 are out there that do not necessarily have a constant  
23 source, one example being wind, if the wind dies  
24 down, I know there needs to be some sort of a backup  
25 generation source.  Is that correct?

1           Without some sort of long-term, large-volume  
2 battery that that could be stored over time, how  
3 would it result that a wind, for example, a wind  
4 generator would reduce our reliance on fossil fuels,  
5 because we would still need to keep a backup  
6 generator going, and you can't turn them on and off  
7 with the flick of a switch.

8           SECRETARY HANGER:   Sure.

9           In fact, we have -- well before we ever had  
10 one megawatt of wind on the system, we've always had  
11 something called spinning reserves in our electric  
12 system.

13           We keep a backup unit, or units, ready to go  
14 within 10 minutes to deal with the fact that all  
15 machines break down, and they break down just like  
16 that.

17           In 1994, I had the duty of calling the  
18 Governor at the time and saying that we were facing  
19 rolling blackouts at a time when the temperature  
20 outside was about minus-20 degrees. And the straw  
21 that broke the camel's back at that day and that  
22 moment was the Susquehanna Nuclear Power Station  
23 clicking off. And we, unfortunately at that point,  
24 used up all our backup.

25           So it is standard practice within the

1 electric system and at PJM -- and I know  
2 Representative Ross and others have visited PJM -- to  
3 have a system of backup for all plants.

4           And the amount of backup is actually  
5 typically sized to at least the biggest unit that is  
6 running. So if the biggest unit that is running is,  
7 say, a coal plant at 1,200 megawatts, you have at  
8 least 1,200 megawatts ready to take its place at  
9 essentially a moment's notice.

10           So studies have been done looking at what  
11 additional costs, more intermittent supply would add  
12 to that system. And until you get to large  
13 penetrations or a large amount of wind or solar or  
14 the intermittent sources, you actually incur  
15 virtually no additional cost.

16           When you get to perhaps 10, 15 percent of  
17 the supply coming from wind, there is a small  
18 additional cost to back up that system.

19           But when the studies had been done -- and GE  
20 is one of the companies that has done this study.  
21 And they have a witness here. I don't think he's  
22 from the wind division, but they have actually done  
23 these studies in New York -- the finding is the  
24 economic benefit of the additional power more than  
25 compensates for any small, slight increase in further

1 backup power that would be needed once you reach  
2 these large amounts of new wind.

3 In Pennsylvania right now, we are nowhere  
4 near those kinds of numbers, nor are we within the  
5 whole PJM system.

6 REPRESENTATIVE GABLER: Thank you very much.

7 And thank you, Mr. Chairman.

8 CHAIRMAN GEORGE: I thank the gentleman.

9 And I thank the Secretary for his  
10 indulgence.

11 SECRETARY HANGER: Sure.

12 CHAIRMAN GEORGE: We are going to call on  
13 the next individual, which is the gentleman,  
14 John Quigley, the Acting Secretary of the Department  
15 of Conservation and Natural Resources.

16 And again I am going to insist that we be a  
17 little bit more direct so that we are able to get  
18 all the witnesses and all the questions handled  
19 properly.

20 Good afternoon to you, Mr. Quigley. You may  
21 proceed.

22 ACTING SECRETARY QUIGLEY: Thank you.

23 Good afternoon, Mr. Chairman, Chairman  
24 Hutchinson, and members of the committee. Thank you  
25 for the opportunity to be here this afternoon.

1 I appreciate the leadership that this  
2 committee has shown. I appreciate Representative  
3 Vitali's leadership in proposing House Bill 80. I  
4 would like to commend him for that. I think this  
5 legislation is needed.

6 I will truncate my testimony, my written  
7 testimony, but I want to focus in some detail on some  
8 of the work that DCNR is doing relative to carbon  
9 capture and storage.

10 This bill, in my view, is essential for a  
11 number of reasons. To increase the amount of  
12 renewable electricity that is generated in  
13 Pennsylvania will diversify our energy supplies. It  
14 will create green jobs. And very significantly, it  
15 will reduce the State's carbon dioxide emissions and  
16 be a very important tool in the battle against global  
17 warming and climate change.

18 And make no mistake about it, climate change  
19 is the most significant environmental challenge  
20 facing the world today and certainly facing  
21 Pennsylvania. It threatens our environment, our  
22 economy, our public health, and I think indeed our  
23 way of life.

24 It presents challenges that we cannot  
25 escape. And we also cannot escape the responsibility



1 of starting to deal with these challenges now, and  
2 that is what House Bill 80 attempts to do.

3           Keep in mind that Pennsylvania, I mean, it's  
4 1 percent of the planet's global-warming gases, more  
5 emissions than any State in the United States except  
6 two -- California and Texas.

7           We are the nation's fourth largest coal  
8 producer, and more than 50 percent of Pennsylvania's  
9 electricity is coal-fired. So if we are going to  
10 take the challenge of climate change seriously, we  
11 have to think about how we sustainably grow our  
12 economy and tackle the challenge of climate change at  
13 the same time.

14           So in addition to investing in renewable  
15 energy and conservation, this Commonwealth has to  
16 find a way to burn coal as cleanly as possible,  
17 because we will be relying on coal for many years to  
18 come. I don't think there's any question about that,  
19 as Secretary Hanger said. So House Bill 80 is an  
20 attempt to deal with that very issue head on.

21           What carbon capture and storage is -- and  
22 here's where I will get a little bit tedious -- is  
23 the capture, transportation via pipeline, and the  
24 storing permanently underground of carbon emissions.  
25 We believe it offers great promise to the

1 Commonwealth to confront our global warming challenge  
2 and grow the State's economy.

3           There are available technologies -- as  
4 Secretary Hanger quoted the Natural Resources Defense  
5 Council -- there are commercially available  
6 technologies that are ready to be deployed today.

7           Pipeline technology is mature and has been  
8 in place for literally decades. The injection and  
9 underground storage of carbon dioxide is analogous to  
10 very well understood practices like natural gas  
11 storage, which has been occurring in Pennsylvania for  
12 decades.

13           Indeed, carbon dioxide has been captured,  
14 piped, and stored underground in the United States  
15 and Canada for decades to recover oil from depleted  
16 oil fields.

17           Every year, 45 million tons of carbon  
18 dioxide, which is the equivalent output of 10 to  
19 15 500-megawatt coal-fired power plants, is  
20 transported over 3,500 miles of pipeline in the  
21 United States and Canada. This technology and this  
22 approach and this application, they are all very well  
23 understood.

24           Secretary Hanger mentioned projects in the  
25 North Sea and in Algeria that each store over a

1 million tons of carbon dioxide per year, again, that  
2 are well understood and have been in place for  
3 decades.

4           Carbon capture and storage is indeed ready  
5 to be deployed today. Technology is not the barrier  
6 to the deployment of carbon capture and storage. The  
7 problem is economics. There is a significant need  
8 for public policy supports to assist in the  
9 early-stage deployment of these projects.

10           The carbon storage network that  
11 House Bill 80 contemplates would enable the  
12 Commonwealth to capture very significant economies of  
13 scale by connecting multiple plants to this network.  
14 It would help to mitigate the high cost levels of  
15 this technology that would be in place initially.

16           And combined with savings in developing this  
17 kind of shared infrastructure, the Commonwealth has  
18 an opportunity to create a very significant asset  
19 that will be important to the Commonwealth with the  
20 imposition of Federal carbon constraints.

21           A very important aspect of economic  
22 feasibility is the treatment of long-term liability  
23 in the financial planning process.

24           House Bill 80 allows the Commonwealth to  
25 accept liability for a limited amount of stored

1 carbon to incentivize the first movers of this  
2 network. And I think this is critical to enable the  
3 early movers to finance their projects. But as I'll  
4 say in a moment, there may even be some options to  
5 deal with that issue as well.

6           There have been a number of studies done  
7 around the country about the potential to store  
8 carbon. There are some studies that DCNR has been a  
9 part of for the last 7 years through the Midwest  
10 Regional Carbon Sequestration Partnership, which has  
11 estimated that Pennsylvania has essentially a natural  
12 competitive advantage when you look at carbon capture  
13 and storage.

14           We have the potential to store several  
15 hundred years' worth of the State's emissions  
16 literally beneath our feet, and that is a competitive  
17 advantage compared to other States. And if we use  
18 that resource, we have an opportunity to not only  
19 deal with the global warming challenge but to grow  
20 our economy at the same time.

21           We have launched a very intensive effort to  
22 identify the State storage potential and to  
23 facilitate the development of this network.

24           If we are successful in Pennsylvania in  
25 developing these technologies, that means that our

1 abundant coal resources can be used in a more  
2 environmentally friendly manner, and we could also  
3 have an opportunity to create an extraordinarily high  
4 number of research and development, manufacturing,  
5 retrofit, and export jobs.

6           The States that lead in the development and  
7 deployment of carbon capture and storage technology  
8 will win the economic development race as well, and  
9 we can become the home for the manufacturing jobs,  
10 the kinds of jobs that cannot be offshored or  
11 outsourced and create a huge economic engine for the  
12 Commonwealth at the same time.

13           DCNR, pursuant to Act 129, which was passed  
14 last year, has just concluded an initial assessment  
15 of the State's geology, and our findings are very  
16 simply that the State's geology can support the  
17 development of a network of carbon dioxide storage  
18 around the Commonwealth. This network need not be  
19 publicly owned.

20           Indeed, we have also found that there is  
21 potential to use some of the captured carbon  
22 emissions to recover oil and natural gas in depleted  
23 formations and perhaps find some other beneficial  
24 uses for that CO2 in industrial processes. And  
25 perhaps, based on some early research that Penn State

1 is doing, to use some of the captured CO2 as a  
2 fracing agent and get away from the use of water in  
3 Marcellus exploration. That is a potential that we  
4 need to explore, but it is a tantalizing one for the  
5 Commonwealth.

6 If these opportunities to beneficially reuse  
7 carbon dioxide that is captured in this network come  
8 into place, there is an opportunity to reduce or even  
9 eliminate the need for public liability under this  
10 network.

11 So there are some business issues that have  
12 to be worked through. And as Secretary Hanger  
13 mentioned, we are working with the Clinton Foundation  
14 on the development of a business plan for this  
15 network to try to fully examine some of these  
16 opportunities.

17 When you look at geology, we have identified  
18 four potential storage reservoirs in western and  
19 north-central Pennsylvania that meet the U.S.  
20 Department of Energy's criteria for consideration as  
21 permanent receptacles for storage of carbon dioxide,  
22 and they are listed and a map is included in my  
23 testimony.

24 Now, our preliminary identification of these  
25 reservoirs is just the beginning of the process, and

1 I think it is important to understand that. But  
2 while it is the beginning of the process, it is not  
3 to say that the legislative framework for a carbon  
4 capture and storage network can wait.

5 Siting and crafting the public policy  
6 supports that are going to be necessary for this  
7 network have to proceed together and, in my view,  
8 have to proceed now. It is important that we move  
9 this work forward.

10 The next step in DCNR's work will be to  
11 gather seismic data beginning in July across targeted  
12 areas of the Commonwealth to further our  
13 understanding of the State's geology and further  
14 proceed down the path of identifying specific  
15 candidate storage sites.

16 To make a final determination on any  
17 particular storage location will require several  
18 years of very advanced scientific evaluation.

19 In addition to the business expertise that  
20 we are bringing to this task by virtue of our  
21 partnership with the Clinton Foundation, we have also  
22 created at DCNR a Science Advisory Committee, which  
23 is composed of more than a dozen nationally  
24 recognized experts in various aspects of geologic  
25 storage of carbon dioxide.

1           This committee will act as a scientific peer  
2 review of our work, and it will include  
3 representatives of Penn State; the Kentucky,  
4 Illinois, and Texas Geological Surveys; the  
5 University of Regina in Canada; the University of  
6 Pittsburgh; the U.S. Department of Energy's National  
7 Energy Technology Laboratory; West Virginia  
8 University; Carnegie Mellon University; and the  
9 Lawrence Livermore National Laboratory.

10           This committee is going to bring very  
11 diverse expertise to bear and to focus on DCNR's  
12 geologic technical assessments.

13           Now, any potential storage site that is  
14 identified in this process will be very extensively  
15 monitored beginning in the pre-development,  
16 pre-injection phase, right through injection and  
17 post-closure of a facility. The reservoir will have  
18 to be continually monitored so that safety and  
19 performance can be assured.

20           It's important for you to understand that  
21 the science of geologic storage of carbon dioxide is  
22 well understood, and in fact so much so that  
23 commercial insurance is now available in the  
24 marketplace for geologic storage sites. And we have  
25 been in contact at DCNR with some of the major



1 insurance providers globally who are writing policies  
2 and putting private capital at risk for geologic  
3 storage projects.

4 And we will have more to say and report to  
5 the General Assembly in the next report that DCNR is  
6 preparing pursuant to Act 129 that is due to be  
7 submitted to you, Mr. Chairman, and your counterparts  
8 in November of this year.

9 Now, House Bill 80 creates the framework for  
10 the first early mover carbon capture and storage  
11 network in the United States. It recognizes that  
12 geologic formations that lie beneath Pennsylvania's  
13 surface offer one of the most promising means  
14 available to safely and permanently control our  
15 carbon dioxide pollution.

16 We think that Pennsylvania's geology can  
17 provide literally the foundation for a sustainable  
18 economy for Pennsylvania's future in a  
19 carbon-constrained world.

20 We are privileged to be a part of this. We  
21 are responding to the challenge that Governor Rendell  
22 placed before us to do this geologic work.

23 I very much look forward to working with all  
24 of you and answering questions that you may have as  
25 these studies go forward, and I would be more than

1 happy to make myself available to any and all of you  
2 at any time.

3 Thank you.

4 CHAIRMAN GEORGE: Has the gentleman  
5 concluded?

6 ACTING SECRETARY QUIGLEY: Yes, sir.

7 CHAIRMAN GEORGE: I recognize the gentleman,  
8 Mr. Vitali, for a question.

9 REPRESENTATIVE VITALI: Thank you,  
10 Mr. Chairman.

11 And thank you, Acting Secretary Quigley, for  
12 the great work you've done on this.

13 I would like to just focus in on the issue  
14 of REC credits, renewable energy credits. And as you  
15 know, in the drafting of this bill we set it out so  
16 that if an advanced coal plant spends the money, puts  
17 the equipment on, puts itself in a position where it  
18 in fact can capture carbon but there is no storage  
19 network prepared to receive it, it still gets the  
20 REC credits.

21 Now, that has been criticized by some  
22 environmental groups saying that it's not fair; it  
23 incentivizes coal plants being built. And it has  
24 also been suggested that the better approach would be  
25 to create a carbon capture and sequestration network

1 first that in fact works and then award REC  
2 credits.

3           Could you comment on this and as to why you  
4 have chosen to take the approach that is in fact in  
5 the bill?

6           ACTING SECRETARY QUIGLEY: Well, I think,  
7 first, the question is fair and is reasonable.

8           My view of this is simply this: that, again,  
9 look first at the global warming challenge. The  
10 science says that we have got to reduce our emissions  
11 by at least 80 percent by 2050 if we are going to  
12 stave off the worst impacts of climate change -- so  
13 running the clock backwards.

14           That means that carbon capture and storage  
15 technology has to be deployed and essentially be  
16 "business as usual" by 2025 at the latest. If we are  
17 going to hit that target, that means that the first  
18 commercial scale deployments, the first networks,  
19 have to be in place by 2015, which means we should  
20 have started about 5 years ago.

21           So we are on a very accelerated pace. It is  
22 our goal to either, as DCNR or through a private  
23 party, have a first sequestration site available to  
24 accept carbon by 2015.

25           It is completely appropriate to connect the

1 development of the network with the legislative  
2 underpinnings. Very simply, power plants are going  
3 to have to invest millions of dollars in the capture  
4 technology, and from a business-planning standpoint,  
5 it is unrealistic to expect them to make that  
6 investment and then not receive the benefit of that  
7 investment if, through no fault of their own, a  
8 facility isn't ready.

9           Now, I will say with a high degree of  
10 confidence that I think we can meet the 2015  
11 deadline. In fact, we might even be able to get  
12 there, get under the finish line, before that.

13           But I think the timelines contained in the  
14 bill, while aggressive, are achievable. I believe  
15 the provisions of the bill with respect to the green  
16 energy credits are appropriate and are needed to give  
17 the business side of this equation the appropriate  
18 investment horizon and timeline with which to make  
19 these millions of dollars' worth of investments.

20           REPRESENTATIVE VITALI: Thank you,  
21 Mr. Chairman.

22           CHAIRMAN GEORGE: I thank the gentleman.

23           I just have one little question.

24           What do you believe the risks are of the  
25 underground storage of CO<sub>2</sub>, and what will the

1 department do to minimize or eliminate these  
2 risks?

3 ACTING SECRETARY QUIGLEY: That's an  
4 excellent question, Representative.

5 There are many risks to underground storage  
6 of CO2 that are well established in the scientific  
7 literature and in the engineering work around this  
8 subject.

9 If you over-pressurize a CO2 storage  
10 facility, just like if you over-pressurize a natural  
11 gas storage reservoir, you can create movement of the  
12 earth -- manmade mini-earthquakes.

13 You want to make sure that CO2 does not come  
14 in contact with groundwater. You want to make sure  
15 there are no leakage pathways. You don't want to see  
16 any leaks of CO2, just like the owner of a natural  
17 gas storage facility doesn't want to see any leaks in  
18 their facility.

19 There are literally a dozen or so different  
20 risks that have to be assessed in the process of  
21 developing a site, and one of the next steps that  
22 DCNR is going to take in conjunction with gathering  
23 this geologic data is to conduct a risk assessment,  
24 to retain professional engineering expertise --  
25 highly specialized engineering expertise -- to

1 conduct the risk assessment.

2 In our conversations with the insurance  
3 companies that are writing policies for geologic  
4 storage, they say very simply that the best insurance  
5 policy is a good site. So the investment of  
6 literally 2 or 3 years' worth of intensive study at a  
7 specific location is what provides the best hedge  
8 against risk.

9 You've got to study your potential location  
10 very intensively with every scientific means at your  
11 disposal to make sure that you understand the  
12 characteristics beneath the ground, you model and  
13 understand the potential movement of the stored  
14 carbon dioxide, and plan a series of mitigation  
15 measures so that you can know where the carbon is  
16 moving and take remediative action if it is in fact  
17 necessary.

18 So there's a well-established procedure. In  
19 fact, there are cutting-edge standards from the U.S.  
20 Geological Survey, the World Resources Institute, and  
21 other international panels that have laid out risk  
22 criteria and risk-minimization techniques, and we are  
23 following those.

24 Some of them are not even published yet, but  
25 we are following all of that state-of-the-art work as

1 we proceed with our work.

2 CHAIRMAN GEORGE: I thank the gentleman.

3 Do you have a question, Mr. Hutchinson?

4 REPRESENTATIVE HUTCHINSON: Thank you.

5 Obviously -- well, maybe it's not obvious,  
6 but we may or may not have very vastly different  
7 world views about what global warming is all about  
8 and is it real and all that.

9 But I'm going to go somewhere else on my  
10 question, and that is, specifically within this bill  
11 I understand that it does give DCNR authority in an  
12 area -- it's hard for me to get my brain around it,  
13 because it's pretty new.

14 You know, a couple years ago there was a  
15 firestorm across America about eminent domain power,  
16 and my understanding is that this bill does give DCNR  
17 condemnation authority over geologic formations. And  
18 that's, as I said, that's really hard for me to get  
19 my hands around that, and I just want you to explain  
20 how and under what circumstances DCNR would condemn  
21 underground geologic formations. If you could talk  
22 about that a little.

23 ACTING SECRETARY QUIGLEY: Well,  
24 Mr. Chairman, I would respectfully disagree with you  
25 with that interpretation.

1           There is nothing in House Bill 80 that  
2 grants DCNR the power of eminent domain. What it  
3 does do, the plain language of the bill allows us to  
4 acquire or lease geologic formations. It does not  
5 specifically give DCNR the power of eminent domain.

6           I don't interpret it that way. I don't  
7 think anyone that has looked at the bill interprets  
8 it that way. We would not be asking for that power.

9           Clearly, looking out into the future, there  
10 will need to be dozens of these types of storage  
11 facilities located around the Commonwealth, and the  
12 challenge of assembling core space will be a  
13 significant one.

14           There have been legislative proposals in  
15 Washington to deal with those issues. But this bill  
16 does not -- I repeat, does not -- contemplate the  
17 granting of eminent domain powers to DCNR for this  
18 purpose.

19           And I would be happy to have a more off-line  
20 conversation with you if that would be helpful.

21           REPRESENTATIVE HUTCHINSON: I would love to  
22 do that.

23           Thank you.

24           CHAIRMAN GEORGE: I thank the gentleman for  
25 his testimony.



1           The next individual will be the gentleman,  
2 Norman Shilling, Product Line Leader of Power  
3 Generation, GE Gasification.

4           Welcome, sir.

5           DR. SHILLING: Thank you, Mr. Chairman.

6           CHAIRMAN GEORGE: Now, again I'm going to  
7 caution all that it's getting to be that ever we  
8 won't be fair with the rest of the witnesses, so  
9 let's answer the inquiries very directly rather than  
10 a complete dissertation.

11          You may proceed, sir.

12          DR. SHILLING: Thank you, Mr. Chairman,  
13 members of the committee.

14          My name is Norm Shilling. I'm Carbon Leader  
15 for GE Gasification. I report into Houston. I'm the  
16 coal guy.

17          GE really appreciates the opportunity to  
18 testify here.

19          What we say at GE is that we are providing,  
20 I call it options. And our basic tenet is there  
21 really is no fundamental -- there is no fundamental  
22 silver bullet to solve our energy challenges.

23          It's likely there never will be. We believe  
24 we are going to need an array of various  
25 technologies, both on the renewable side, natural

1 gas, nuclear, and coal.

2           And I come from the coal tribe at General  
3 Electric. And, of course, these are all products and  
4 technologies that we provide to give our customers  
5 the options and to deal with the kinds of strategies,  
6 develop the strategies that are necessary to meet our  
7 environmental and our energy challenges.

8           Coal is under a lot of pressure, and I think  
9 we see it all in the newspapers. Detractors would,  
10 in some cases, rather that there be no coal  
11 whatsoever, no new coal plants.

12           And the statistics are appalling. We've run  
13 these numbers ourselves. And since 2001, there have  
14 been some 177 coal projects that have been canceled,  
15 totaling about 87 gigawatts. And much of that has  
16 been, of course, in response to the uncertainty that  
17 we are dealing with in carbon policy, carbon  
18 regulation.

19           And we say we need to move forward with coal  
20 as one of the options, because it is a very  
21 significant resource that we can draw on to deal  
22 with, of course, the volatility and to not have to  
23 buy energy and fossil from those people that don't  
24 really like us.

25           So climate change requires that we use coal

1 in a different manner for it to be environmentally  
2 acceptable. We believe that there is a pressing need  
3 for, what we say, "a new face of coal" based on a  
4 fundamental shift of technology that is going to  
5 break the long-held perceptions about coal.

6 IGCC is such a technology. It is ready for  
7 carbon constraints. Today, we have some 33 plants  
8 that are capturing carbon in the industrial world to  
9 make a variety of products from coal. That, for  
10 example, would be clean fuels, methanol, acetate.  
11 And we have, of course, experience from those plants  
12 with capturing carbon.

13 We do it from a range of 50 percent up to  
14 90 percent carbon capture, and that is very valuable  
15 and relevant experience which, of course, we will  
16 deal to where we are providing power from coal with  
17 carbon capture.

18 And, of course, carbon capture, we believe,  
19 is technologically ready today. When combined with  
20 sequestration, it offers a solution for coal  
21 necessary that we are going to need for achieving our  
22 climate-change goals.

23 IGCC with carbon capture can achieve parity  
24 with or even better than natural gas, advanced  
25 natural gas combined cycle gas turbines.

1           We have made significant investment at  
2 General Electric in IGCC, and especially in the  
3 development of a 630-megawatt standard plant, the  
4 kind that is being built today up at Edwardsport by  
5 Duke.

6           We have also invested in the development of  
7 what we call a carbon island, and that is available  
8 for -- it is commercially available for either  
9 greenfield or retrofit to IGCC facilities to achieve  
10 natural gas combined cycle carbon emissions.

11           The proposed Fayette IGCC project, which  
12 we've been diligently pursuing, would be the sister  
13 of the Duke Edwardsport plant that is under  
14 construction. It is going to start up in 2012. It  
15 will set a new benchmark for low emissions coal  
16 generation.

17           That particular plant will consume less  
18 water. It is going to produce useful byproducts  
19 versus waste from the combustion of coal. We believe  
20 that is the new face of coal -- making the change to  
21 the new technology that is going to take us through  
22 the rest of this century.

23           The Fayette plant that we are pursuing would  
24 take the next step. It would capture CO2 right from  
25 the start of operations, and it would be delivered to

1 a Pennsylvania network, sequestration network.

2 As it has been said before, the Commonwealth  
3 is blessed with resources, and those are both coal  
4 resources and sequestration resources.

5 The DCNR study that was issued on May 1  
6 found there is very large capacity. The geologic  
7 formations could support development of a  
8 sequestration network. It has the capacity  
9 potentially to store 88 billion metric tons of CO2 in  
10 saline aquifers -- estimated capacity for the  
11 lifetime of 898 500-megawatt plants. That's a lot of  
12 plants, hope they could all be IGCC plants.

13 The next step, of course, for the DCNR is  
14 really to assess the risks and requirements for safe  
15 geologic storage. In advance of that report, though,  
16 it's worthwhile noting that CO2 has been injected  
17 safely and has been operating for many years.

18 The Sleipner project in Norway in 1996, the  
19 Salah project in Algeria in 2004, and the  
20 Snøhvit-StatoilHydro project in the Barents Sea that  
21 began operation in 2008, they have an accumulative  
22 operating experience of 19 years, no unintended  
23 leakage.

24 CO2, again, is widely used in enhanced  
25 oil recovery. Eighty projects, 13,000 wells, and

1 53 million tons of CO<sub>2</sub> a year is being injected for  
2 enhanced oil recovery.

3 I want to make the point, it all depends on  
4 good site selection. That includes good and secure  
5 impermeable barriers to CO<sub>2</sub> migration.

6 Site characterization needs to be  
7 comprehensive, site monitoring and validation, safe  
8 operation of the sequestration facilities, and  
9 coupled with implementation of the remedial  
10 measures.

11 You are going to go through a failure modes  
12 and effects study that is going to say, here are all  
13 the possible things that could happen and here is how  
14 I'm going to mitigate that; here's the cost and  
15 here's the steps I would take to eliminate that.

16 But we have combined in an alliance with  
17 Schlumberger. They are the experts in CO<sub>2</sub>  
18 sequestration and subsurface geology. And we have  
19 worked closely with Schlumberger to make sure that  
20 our carbon island that the CO<sub>2</sub> that we are going to  
21 deliver to a network will be of sufficient quality  
22 and reliability and availability that will ensure  
23 there will be proper interoperability of both the  
24 sequestration facility and the power plant.

25 I think that's very important from the

1 commercial side, to make sure that they are going to  
2 be able to operate satisfactorily together. And that  
3 is primarily what needs to be demonstrated.

4           We've done the carbon capture; we do that.  
5 The sequestration has been performed. Now we need to  
6 link the two of those together to make sure that that  
7 can be done satisfactorily with high availability.

8           Fayette is going to require an investment of  
9 approximately \$2 1/2 billion. That is for a  
10 reference plant operating at 60-percent carbon  
11 capture.

12           It's a significant, of course, challenge in  
13 today's economic environment to come up with that  
14 kind of capital, and, of course, with the capital  
15 market problems that we're dealing with.

16           So everything that can be done to foster  
17 those kinds of investments and reduce the risk and to  
18 provide, I'll call it an appropriate place that will  
19 foster these kinds of investments is needed.

20           As a pioneer CCS project, the project will  
21 qualify for a variety of Federal stimulus and energy  
22 bills.

23           Just let me say that the clock is ticking.  
24 I mean, there is Federal money that is already out  
25 there, and I'll give you one example -- the

1 investment tax credit, and it's significant.

2 As a matter of fact, the Duke project in  
3 Edwardsport went forward, was able to go forward  
4 because of an investment tax credit from the  
5 Energy Act of 2005. Now that particular project is  
6 getting \$133 million.

7 And now starting, and I guess ending in  
8 November, we now have a new investment tax credit bid  
9 period that is going to be available for up to  
10 \$416 million in investment tax credits.

11 So I think that is an important source of  
12 funding that brings a significant benefit, not only  
13 to being able to get the project to go forward, but  
14 that is going to be a funding, because of the way the  
15 AEPS is written, that will be a benefit to the  
16 ratepayers in Pennsylvania.

17 So we would dearly hate to see the windows  
18 close and that this bill get deferred, and then we'll  
19 be looking at all those projects that we wish we had  
20 been able to pursue.

21 So in addition to environmental benefits,  
22 the Fayette would keep money and jobs here in the  
23 Commonwealth. It will consume 1.6 million tons of  
24 coal a year, about \$80 million at \$50 a ton.  
25 Construction will take about 3 1/2 years. Labor will



1 peak at 2,000 jobs, an average of about 900 through  
2 the 3 1/2-year period.

3           And the Fayette project and IGCC project of  
4 that scale would create job benefits and extend  
5 really throughout the Commonwealth economy: 13,219  
6 direct job-years, with \$800 million labor income  
7 through the construction period; with indirect and  
8 induced effects, some 36,000 job-years, with  
9 \$1.9 billion of labor income; ongoing employment of  
10 270 direct jobs; and with indirect and direct  
11 multiplier effects, about 1,260 total jobs. That is  
12 significant.

13           And I think something to be sought for is  
14 what you are going to receive, what is the benefit  
15 going to be for a new coal project of the scale of a  
16 Fayette or an IGCC reference plant.

17           So the point I want to make, and I think  
18 we're all aware of this, without pioneer projects  
19 like Fayetteville -- the veil will move forward with  
20 lower carbon coal and especially with carbon  
21 constraints -- the value of Pennsylvania's coal  
22 resources is going to diminish.

23           Without commercial demonstration and proof  
24 of CCS viability, new coal plants will just not be an  
25 option. Coal demand will fade. Jobs from mining,

1 transport, plant operations, and the manufacture of  
2 coal-related projects that, for example, go into the  
3 plant, and there are many of those that are actually  
4 manufactured in Pennsylvania, we are going to wind up  
5 with those values being greatly reduced. And we can  
6 avoid this outcome and instead expand economic  
7 benefits from coal while using it in an  
8 environmentally acceptable manner.

9 In conclusion, GE commends Pennsylvania and  
10 the sponsors and the supporters of House Bill and  
11 Senate Bill 92 for their effort in establishing a  
12 framework for this continuing use of coal in a manner  
13 that is consistent with addressing climate change,  
14 addressing our energy needs, building our energy  
15 security, and by which coal, we believe, can remain a  
16 vital part of Pennsylvania's future.

17 So thank you for your attention. I look  
18 forward to your questions.

19 CHAIRMAN GEORGE: The gentleman has  
20 concluded?

21 DR. SHILLING: I have concluded.

22 CHAIRMAN GEORGE: Okay.

23 Any questions?

24 The Chair now recognizes the gentleman,  
25 Mr. Seip.

1           REPRESENTATIVE SEIP: Thank you,  
2 Mr. Chairman. I'll be very brief.

3           (Microphone interference.)

4           CHAIRMAN GEORGE: I apologize. We're  
5 wondering who is attacking us back here.

6           REPRESENTATIVE SEIP: Do you want me to try  
7 that one? Okay.

8           CHAIRMAN GEORGE: You may proceed, my good  
9 friend.

10          REPRESENTATIVE SEIP: Thank you,  
11 Mr. Chairman. I'll be very brief.

12           If I heard your testimony correctly, without  
13 House Bill 80, we are going to recover less coal.  
14 The coal that we do recover will be exported more  
15 often. We are going to lose jobs and Federal  
16 dollars.

17           And if I can go back to what  
18 Secretary Hanger had said about exporting that  
19 \$700 billion overseas, we will be less energy  
20 independent, and our national security, keeping in  
21 mind that we are the most deployed National Guard  
22 unit in the country, our Air Guard and our Army  
23 National Guard, will be reduced.

24           Is that pretty much a fair account of what I  
25 heard?

1 DR. SHILLING: I think that hits on all the  
2 points. I think that's the concern.

3 I mean, it winds up in our -- you know, if  
4 you extrapolate what this means, with all those  
5 examples of cancellations and, of course, of coal  
6 plant cancellations, the value resource in the ground  
7 just diminishes.

8 The point, another kind of example is that,  
9 you know, for example, Australia does value, of  
10 course, its coal resources very highly. And  
11 Australia has made a significant investment and is  
12 providing significant incentives to be able to move  
13 coal with carbon capture forward. And that, they  
14 feel, is essential for them to be able to demonstrate  
15 that, yes, you can use our particular coal, you know,  
16 for export reasons and that those countries, for  
17 example, the shoreline along China and Japan, are  
18 going to be able to continue to use their coal and be  
19 able to then deal with potential or likely future  
20 carbon constraints and carbon price.

21 REPRESENTATIVE SEIP: Thank you for your  
22 testimony.

23 Thank you, Mr. Chairman.

24 CHAIRMAN GEORGE: Any other questions?

25 Just one final question, if you will.

1           Oh, the gentleman, Mr. Hutchinson. I beg  
2 your pardon.

3           REPRESENTATIVE HUTCHINSON: Thank you.

4           I just wanted to follow that up and ask you,  
5 you were talking about how important it is to pass  
6 House Bill 80. I want to clarify that or have you  
7 clarify the fact or, you know, whichever way you  
8 think.

9           If we were to decouple this bill -- I think  
10 there are two major subject areas in here. There is  
11 one about increasing the solar and wind requirements,  
12 and then there is another section that has to do with  
13 carbon sequestration.

14           If we were to cut that in half, would you  
15 like to see the carbon sequestration part done, and  
16 would that on itself promote the coal industry using  
17 our natural resources, keeping the value of our coal  
18 assets where they belong? Is that---

19           DR. SHILLING: I believe it would.

20           REPRESENTATIVE HUTCHINSON: Okay. I just  
21 wanted to clarify that. We don't need the whole bill  
22 to do that. We need part of the bill to do that.

23           The other stuff is, I think, a totally  
24 different subject and really should be in a separate  
25 bill. But thank you.

1           CHAIRMAN GEORGE: Just one final question,  
2 if you will, Doctor.

3           What steps will GE take to reduce or  
4 eliminate water pollutants that are emitted from this  
5 plant?

6           DR. SHILLING: The plant that we are  
7 developing for Edwardsport will be a zero liquid  
8 discharge plant. So there will be no waste coming  
9 out of it from the water side.

10          CHAIRMAN GEORGE: I thank the gentleman for  
11 his testimony. You may stand down.

12          DR. SHILLING: Thank you.

13          CHAIRMAN GEORGE: The next individual that  
14 will be testifying is the gentleman, Mr. Mike Welsh,  
15 the International Representative of the IBEW.

16          Welcome, sir.

17          MR. WELSH: Thank you.

18          Good afternoon, Chairman George, Chairman  
19 Hutchinson, and other members of the House  
20 Environmental and Energy Committee.

21          My name is Mike Welsh. I'm here today on  
22 behalf of the International Brotherhood of Electrical  
23 Workers. I thank you for the opportunity to come  
24 before the committee today to offer our views on  
25 House Bill 80.

1           House Bill 80 attempts to address several  
2 issues of interest to the IBEW. I do not claim to be  
3 a scientist or an expert in climate change, but I  
4 have spent over 32 years inside the electric utility  
5 industry. I know the issues before us are complex  
6 and complicated.

7           We need a commonsense approach as we move  
8 forward in the energy industry on how we choose to  
9 generate and transmit power to provide safe and  
10 reliable service to the Pennsylvania consumer.

11           House Bill 80 adds the definition of  
12 "Tier II alternative energy source" in the electric  
13 Alternative Energy Portfolio Standards Act of 2004 to  
14 include electricity generated by a facility fueled by  
15 coal or gas that captures and permanently sequesters  
16 carbon dioxide.

17           It would also increase the Tier II share of  
18 energy from 10 percent to 13 percent to create  
19 incentives to encourage investment in the carbon  
20 capture sequestration technology, CCS.

21           The IBEW strongly favors a national approach  
22 to climate change regulation, such as a cap-and-trade  
23 program that provides significant incentives for the  
24 deployment of CCS technologies.

25           We and all other unions in the AFL-CIO

1 supported the compromise Bingaman-Specter  
2 climate-change bill in the 110th Congress.

3 We do not believe that having a patchwork  
4 quilt of State and regional cap-and-trade programs  
5 will help jobs or the economy. Climate change is a  
6 global problem, and we need a national solution that  
7 provides a level playing field for all energy  
8 sources.

9 The IBEW is in support of advancing carbon  
10 capture and storage technologies, and recently, along  
11 with the United Mine Workers and the Industrial Union  
12 Council of the AFL-CIO, released a study by  
13 BBC Research and Consulting of Denver, Colorado, on  
14 the national economic and job benefits of aggressive  
15 programs to deploy advanced coal generation with CCS  
16 technology. That full report can be found at the  
17 link below.

18 The BBC study examined three different  
19 levels of commercial deployment of advanced coal  
20 units with CCS technology at 20, 65, and  
21 100 gigawatts. One hundred gigawatts is roughly  
22 one-third of the nation's current coal-generating  
23 capacity.

24 BBC also estimated the economic benefits  
25 associated with two types of individual plants:



1 integrated coal gasification combined cycle units,  
2 IGCC, and supercritical pulverized coal units with  
3 CO2 removal technology.

4 BBC estimates that construction of a single  
5 540-megawatt IGCC plant with CCS would generate  
6 between 3,300 and 9,000 job-years of direct and  
7 indirect employment during a 4-year construction  
8 period.

9 The higher number of 9,000 jobs is for the  
10 total direct plus indirect employment after  
11 accounting for multiplier effects. A job-year is one  
12 job for one person for 1 year.

13 The same IGCC plant would create between  
14 \$507 million of direct economic output and  
15 \$1.4 billion of total direct and indirect economic  
16 output over a 4-year construction period.

17 Household incomes would increase by  
18 \$188 million to \$478 million over the 4-year  
19 construction period. Again, the larger figure  
20 includes the multiplier effects.

21 BBC also estimates job and economic impacts  
22 for long-term operation of IGCC plants with carbon  
23 capture and storage. The annual direct estimate is  
24 245 jobs and the direct and indirect estimate is  
25 nearly 1,200 jobs.

1           If we constructed 20 IGCC plants in  
2 Pennsylvania, roughly one-half of our current  
3 coal-based generating capacity of 20,500 megawatts,  
4 we would create between 5,000 direct and 24,000 total  
5 permanent jobs.

6           The above possibilities have given the IBEW  
7 reason to support initiatives that will help to  
8 accelerate the commercialization of CCS technologies.

9           One of the hurdles to overcome in order to  
10 move forward with specific projects is the need to  
11 address liability for storage of liquid carbon.

12           House Bill 80 addresses the liability of  
13 carbon storage, having the Commonwealth assume  
14 long-term liability risks for captured and stored  
15 carbon.

16           The IBEW supports alternate energy and wants  
17 to see it continue to grow to be a larger part of the  
18 generation mix in the future.

19           Our members are actively involved on many  
20 fronts with the manufacturing, installation, and  
21 maintenance of wind and solar facilities, as are  
22 other international unions.

23           The IBEW has concerns with the increases in  
24 the Tier I share of energy from the 8 percent to  
25 20 percent. Our concerns are in regard to the large

1 percentage of the generation mix being forced into  
2 higher-cost production methods, which would be  
3 setting us up for an increase in energy prices that  
4 we don't think we can afford.

5 We need to be assured we are going to be  
6 able to meet the 2018 goals before we set goals for  
7 2026 that may not be obtainable.

8 It is imperative to keep in mind capacity  
9 factors for wind and solar are not great enough that  
10 they can be seen as a reliable energy source and must  
11 be backed up with other generating capacities such as  
12 a gas-fired generation plant.

13 Currently, our electric generation mix  
14 breaks down to approximately 55-percent coal,  
15 35-percent nuclear, 6-percent gas, 1-percent oil, and  
16 3-percent hydro and renewables.

17 We need to be aware of the costs associated  
18 with moving the current mandates, which total  
19 18 percent in 2018, to a new level of 33 percent in  
20 an 8-year time span by 2026.

21 If our goal is to reduce carbon emissions,  
22 we should be setting the limits we want to meet and  
23 let the market decide what generation makes sense.

24 We must decide if we are going to keep  
25 Pennsylvania electric generation competitive both

1 in State and out of State.

2 We must decide if we are going to have  
3 energy costs that will attract business to our State  
4 or make them look elsewhere.

5 As I stated early, a commonsense approach  
6 must be taken to address these complex and  
7 complicated issues, and the IBEW is going to work  
8 with all stakeholders to come up with commonsense  
9 solutions.

10 I thank you again for the opportunity to  
11 come before the committee today.

12 CHAIRMAN GEORGE: I thank the gentleman for  
13 his testimony.

14 Are there any questions? If not, thank you,  
15 sir.

16 MR. WELSH: Thank you.

17 CHAIRMAN GEORGE: Now, we have one testimony  
18 yet, but at this time I'll make you all aware that we  
19 have received written testimony from the following  
20 organizations:

21 • Andrew Place, Research Fellow, the  
22 Department of Engineering and Public Policy, Carnegie  
23 Mellon University.

24 • Douglas Biden, President, and  
25 Terrance Fitzpatrick, General Counsel, Electric Power

1 Generation Association.

2 • The gentleman, George Ellis, from the  
3 Pennsylvania Coal Association.

4 • We have a statement by Green Energy  
5 Capital Partners.

6 • And Maureen Mulligan, the Solar Alliance.  
7 They will be included with this testimony.

8 And now the committee will welcome our  
9 final testimony, which is the gentleman,  
10 Mr. Nathan Willcox, Energy and Clean Air Advocate,  
11 PennEnvironment.

12 Thank you very much for attending. You may  
13 commence.

14 MR. WILLCOX: Thank you, Chairman, and thank  
15 you to the members of the committee for giving me the  
16 opportunity to testify today.

17 My name is Nathan Willcox. I am the  
18 Energy and Clean Air Advocate with PennEnvironment.  
19 PennEnvironment is a statewide environmental advocacy  
20 organization with roughly 15,000 citizen members  
21 across Pennsylvania.

22 My testimony today represents the view of  
23 PennEnvironment on House Bill 80, but also the view  
24 of the Sierra Club's Pennsylvania Chapter,  
25 Clean Water Action and Clean Air Council, as well.

1           I will not read through all of my testimony,  
2           but I'll summarize some of the important pieces.

3           To summarize our organization's position, we  
4           definitely believe that there is tremendous  
5           environmental and economic benefit to be gained by  
6           advancing clean, renewable energy in Pennsylvania,  
7           especially to enable significant cuts in global  
8           warming pollution.

9           In this same vein, our groups believe that  
10          Pennsylvania cannot afford to be promoting new  
11          sources of global warming pollution, which is the  
12          main reason why we oppose House Bill 80 in its  
13          current form.

14          As written, the language in House Bill 80  
15          dealing with carbon capture and sequestration could  
16          incentivize the construction of new coal-fired power  
17          plants whose pollution might never be sequestered,  
18          adding significantly to Pennsylvania's global warming  
19          emissions.

20          Because of this as well as fiscal concerns  
21          with how House Bill 80 advances carbon capture and  
22          sequestration, our organizations oppose House Bill 80  
23          in its current form and will continue to do so until  
24          a key set of amendments are made.

25          These amendments include granting

1 Alternative Energy Portfolio Standards credits for  
2 CCS only when a facility's pollution is actually  
3 sequestered, and shifting tort liability for  
4 sequestered carbon dioxide to the utilities and  
5 generators or private entities operating the  
6 sequestration network.

7           Our organizations have worked with the  
8 Natural Resources Defense Council, an organization  
9 that was mentioned earlier and that I'll come back  
10 to, to write such amendments for Legislators'  
11 consideration, and we have delivered this amendment  
12 language to Representative Vitali.

13           We do thank Representative Vitali for making  
14 some changes to the legislation based on our  
15 concerns, but the core flaws to which we object still  
16 remain.

17           I will skim over the section about how we  
18 support renewable energy. It should come as no  
19 surprise that as environmental organizations, we  
20 definitely support the State increasing the State's  
21 Alternative Energy Portfolio Standards Tier I  
22 requirement.

23           There would be huge environmental benefits  
24 that were mentioned earlier. There are also huge  
25 economic benefits. Hundreds of jobs have already

1    been created in green energy industries in  
2    Pennsylvania and hundreds of thousands more could be  
3    created.  If the State takes the right steps in  
4    increasing the Portfolio Standards Tier I  
5    requirement, it would be a key inclusion in that step  
6    forward.

7            Despite, though, the benefits of the  
8    sections of House Bill 80 that increase the  
9    Alternative Energy Portfolio Standards Tier I  
10   requirement and the solar-share requirement, there  
11   are serious environmental and fiscal risks posed by  
12   the language dealing with carbon capture and  
13   sequestration within House Bill 80; most notably, the  
14   potential to incentivize new sources of global  
15   warming pollution.

16           To be clear, the goal of carbon capture and  
17   sequestration -- the capture and permanent storage of  
18   global warming pollution to prevent such pollution  
19   from escaping into the atmosphere and warming the  
20   planet -- is something that our organizations  
21   support.  Coal-fired power plants are the largest  
22   source of global warming pollution in Pennsylvania  
23   and nationwide.

24           I do, though, want to push back on the  
25   notion that CCS is absolutely necessary to achieve



1 the science-based pollution reduction targets called  
2 for by scientists.

3           Several studies have documented how we can  
4 cut pollution to the levels that scientists say are  
5 necessary to avoid the worst consequences of global  
6 warming without the use of CCS technology.

7           In March, for instance, Greenpeace and the  
8 European Renewable Energy Council released a report  
9 that shows how the United States can cut global  
10 warming pollution from current levels by 85 percent  
11 by 2050 without carbon capture and sequestration,  
12 and actually do it at half the cost and with twice  
13 the job creation of what it would take to achieve  
14 these reductions with carbon capture and  
15 sequestration, nuclear power, and other nonrenewable  
16 energy sources.

17           That said, our organizations do acknowledge  
18 that if CCS ends up working on a commercial scale for  
19 coal-fired power plants, it will certainly make the  
20 fight against global warming a good deal easier.

21           Accordingly, our organizations applaud the  
22 bill's supporters for their interest in establishing  
23 a viable carbon dioxide sequestration network in  
24 Pennsylvania, and our organizations do support this  
25 broad goal.

1           However, the fact is that no one has yet  
2 developed or deployed CCS at a coal-fired power plant  
3 at scale, and we don't know whether or not a  
4 sequestration network will be functionally or  
5 economically viable in Pennsylvania.

6           Given this, it is critical that we promote  
7 CCS in a way that acknowledges that we are trying to  
8 accomplish something very ambitious that has never  
9 been done before, and there is a very real  
10 possibility that it won't work in Pennsylvania.

11           From this perspective, there are two  
12 critical flaws with the way in which House Bill 80  
13 seeks to advance carbon capture and sequestration.

14           First, in the event that a viable  
15 sequestration network is not developed, coal-fired  
16 power plants that installed carbon capture technology  
17 would still receive credit under the State's  
18 Alternative Energy Portfolio Standards under  
19 House Bill 80.

20           In other words, plants could be receiving  
21 credit for capturing and sequestering their global  
22 warming pollution without actually sequestering their  
23 global warming pollution.

24           In the worst-case scenario, this could  
25 incentivize the construction of new coal-fired

1 power plants under the AEPS that don't actually  
2 sequester their global warming pollution.

3           From the environmental perspective, this is  
4 a critical flaw, because every new coal-fired power  
5 plant that doesn't sequester its global warming  
6 pollution creates new pollution equivalent to adding  
7 hundreds of thousands of new cars to the road.

8           Broadly, our organizations suggest that the  
9 State should focus first on establishing a viable  
10 sequestration network, and then, only after such a  
11 network is in place, offer AEPS credits or other  
12 incentives for carbon capture technologies that could  
13 drive the construction of new coal-fired power  
14 plants.

15           Our organizations respectfully request that  
16 House Bill 80 be amended so that AEPS credits are  
17 awarded to power plants with carbon capture  
18 technology only when those facilities' global warming  
19 pollution is actually sequestered.

20           Second, rather than requiring that the  
21 utilities and electric generation facilities that  
22 created the global warming pollution remain  
23 responsible for its sequestration, House Bill 80  
24 would have the State assume liability for the  
25 pollution sequestered by the first facilities to use

1 CCS technologies.

2 No one is sure how much liability for  
3 sequestration sites will cost, and it sets a poor  
4 precedent, especially in today's economic times, for  
5 the State and Pennsylvania taxpayers to be assuming  
6 this liability.

7 If the estimated cost of such liability for  
8 sequestration sites is indeed small, then we question  
9 the need for the State to assume this liability.

10 Our organizations respectfully request that  
11 House Bill 80 be amended to shift tort liability back  
12 to the utilities and generators or private entities  
13 operating the sequestration facilities.

14 Because of these and other concerns with the  
15 carbon capture and sequestration language within  
16 House Bill 80, our organizations have joined with the  
17 Natural Resources Defense Council in opposing this  
18 language in its current form.

19 I know that George Peridas's name was  
20 mentioned earlier from the Natural Resources Defense  
21 Council. I'm on the phone with a guy every other day  
22 about this legislation. They are in opposition to  
23 this legislation despite their enthusiasm about  
24 carbon capture and sequestration.

25 We are very interested in working with

1 Legislators to address these concerns, and as was  
2 previously mentioned, we have submitted proposed  
3 amendment language to Representative Vitali.

4 I again thank the committee for the  
5 opportunity to testify today, and I would be more  
6 than happy to take any questions.

7 CHAIRMAN GEORGE: You have completed your  
8 testimony?

9 MR. WILLCOX: I have.

10 CHAIRMAN GEORGE: Thank you.

11 Questions?

12 The gentleman, Mr. Vitali.

13 REPRESENTATIVE VITALI: Thank you,  
14 Mr. Chairman.

15 And thank you, Nathan Willcox, for all your  
16 good work on the issue.

17 I want to focus in on the issue of REC  
18 credits and not awarding them unless there is actual  
19 sequestration.

20 You heard the testimony of Dr. Shilling with  
21 regard to, I think it was a \$2.3 billion investment,  
22 and one of the reasons for taking that investment  
23 would be the receipt of REC credits.

24 Do you have any reason to believe or have  
25 you had any discussions with companies like GE or

1 others that would indicate that they would be willing  
2 to invest in CCS technology in Pennsylvania if they  
3 would not get REC credits in the event that the  
4 State's plan was not in operation, the sequestration  
5 network was not in operation?

6 Do you believe that they would undertake  
7 this risk if the bill were changed as you are  
8 suggesting?

9 MR. WILLCOX: Well, obviously I can't say  
10 what a company would do in a hypothetical situation,  
11 but---

12 REPRESENTATIVE VITALI: No, no, no. The  
13 question I'm asking is if you have had any  
14 discussions, if you know whether any company would be  
15 willing to make that \$2.3 billion investment if the  
16 bill were changed as you are suggesting? That's my  
17 question.

18 MR. WILLCOX: Understood.

19 No, I have not. The colleagues that we work  
20 with at the Natural Resources Defense Council have  
21 had many conversations with firms that are involved  
22 in the carbon capture and sequestration deployment  
23 business. And I think beyond what they take away and  
24 our research, I think I would point out a couple of  
25 things.

1           One, again, we are very supportive of  
2     establishing the sequestration network, and I would  
3     offer that if Pennsylvania actually had the first  
4     up-and-running sequestration network, that, in  
5     combination with the legislation that is being  
6     considered in Congress right now to cap global  
7     warming pollution levels, if I'm a coal-fired power  
8     plant in Pennsylvania and I have that right there,  
9     which I know if I put the carbon capture technology  
10    on my plant, there's a place to store the technology,  
11    and due to the Federal legislation I'll have to pay  
12    for all the globing warming pollution that I'm  
13    emitting, that, to me, is a pretty strong incentive.

14           REPRESENTATIVE VITALI: Well---

15           MR. WILLCOX: Now, can I guarantee that GE  
16    would do that? No.

17           REPRESENTATIVE VITALI: Okay.

18           MR. WILLCOX: But I'm saying as far as other  
19    incentives beyond using our Portfolio Standards to  
20    potentially promote new sources of globing warming  
21    pollution, I would offer that as another perspective.

22           REPRESENTATIVE VITALI: Okay.

23           Let me talk or refer to the testimony of  
24    Acting Secretary Quigley when he talked about the  
25    timeline.

1           You are suggesting that the State have its  
2 carbon capture and sequestration up and running  
3 before offering any REC credits. And you've heard  
4 the extensive time periods, the studies involved over  
5 several years, and beyond that, the construction  
6 timeline. And you also heard his testimony with  
7 regard to this has to be up and running by 2015 to  
8 sort of deal with this impending climate-change  
9 crisis.

10           What is your response to essentially what he  
11 is saying, that we have to be moving forward with  
12 this and we don't have time to deal with putting the  
13 sequestration network up first before we bring these  
14 companies online?

15           How would you respond to  
16 Acting Secretary Quigley's position here?

17           MR. WILLCOX: Well, I did mention in my  
18 testimony that there have been studies showing how we  
19 can achieve the global warming pollution reduction  
20 targets called for by scientists to avoid the worst  
21 consequences of global warming without carbon capture  
22 and sequestration.

23           So I guess I would first challenge,  
24 respectfully---

25           REPRESENTATIVE VITALI: Is that a scenario



1 without nuclear and without coal at all?

2 MR. WILLCOX: It phases---

3 REPRESENTATIVE VITALI: Is that the  
4 Greenpeace study that has no nuclear and no coal in  
5 our next several decades?

6 MR. WILLCOX: If not the next several  
7 decades, it is by 2050, yes, that we would have to  
8 phase them out.

9 REPRESENTATIVE VITALI: Okay.

10 MR. WILLCOX: But it is not tomorrow, by any  
11 means, that we are talking about phasing those  
12 resources out that we are obviously dependent on  
13 today.

14 REPRESENTATIVE VITALI: Okay.

15 MR. WILLCOX: So, one, I would challenge the  
16 assumption that we need to be establishing carbon  
17 capture and sequestration to do what the scientists  
18 say are necessary, because there is data that  
19 suggests otherwise.

20 But again I come back to, from an  
21 environmental perspective, if the goal of this is to  
22 cut global warming pollution, it is tough to see how  
23 new coal-fired power plants that don't sequester  
24 their pollution fit into any equation achieving those  
25 pollution reductions regardless, and that's our

1 fundamental point, is that the way this legislation  
2 is written, it could incentivize new coal-fired power  
3 plants, each of which creates enough global warming  
4 pollution equivalent to adding hundreds of thousands  
5 of new cars to the road.

6 So we are environmental organizations. I'm  
7 not sure how we can possibly support that idea.

8 REPRESENTATIVE VITALI: Got you.

9 The liability issue. There has been  
10 testimony that there are now in the marketplace  
11 insurance companies that -- and I know Zurich is one  
12 of them; I don't know if that's been mentioned  
13 specifically today -- that will in fact insure the  
14 risk of malfunctioning in a carbon sequestration  
15 facility.

16 Does the fact that this is an insurable risk  
17 change your view as to the liability issue?

18 MR. WILLCOX: No.

19 We still think that it's a risk that the  
20 State and Pennsylvania taxpayers should not be  
21 assuming, especially because a lot of the  
22 proponents of CCS, and especially the folks who have  
23 done sequestration in other fields, be it oil and  
24 natural gas recovery or other fields, say that  
25 actually the liability costs for them haven't been

1 that great.

2 And so if it's not that great, as a lot of  
3 its proponents suggest, then we don't see the big  
4 deal of actually having the folks who created the  
5 pollution in the first place assuming that liability  
6 as opposed to the State.

7 REPRESENTATIVE VITALI: And you are aware  
8 that the bill authorizes the State to charge  
9 depositors of CO2 costs for insurance with regard to  
10 this risk?

11 You are aware of that?

12 MR. WILLCOX: We are aware of that, yes.

13 REPRESENTATIVE VITALI: Okay. Thank you.

14 MR. WILLCOX: Thank you.

15 CHAIRMAN GEORGE: I thank the gentleman.

16 The gentleman, Mr. Hutchinson.

17 REPRESENTATIVE HUTCHINSON: Thank you.

18 I just have to go one direction that we  
19 haven't really touched on today, although I will say  
20 it is interesting that our last three testifiers  
21 seemed to be saying that these are two monumentally  
22 different things we are dealing with -- sequestration  
23 and then the AEPS -- and from different angles, there  
24 seems to be opposition to connecting those.

25 But be that as it may, I really wanted to

1 ask you about another non-global warming energy  
2 source and wanted to know where you folks come down.

3 There is, I think, substantial interest  
4 within the General Assembly in expanding nuclear  
5 energy, and I wanted to know where you as an  
6 organization stand on the expansion of nuclear  
7 electric production in Pennsylvania.

8 MR. WILLCOX: Speaking for PennEnvironment,  
9 we are opposed to the expansion of nuclear power and  
10 for two reasons.

11 While its proponents definitely point out  
12 that it creates less global warming pollution than  
13 coal or even natural gas, we see nuclear power as  
14 having two fatal flaws.

15 One, the waste issue. It still creates  
16 waste that we don't have an adequate plan to  
17 actually address. This waste is waste that remains  
18 lethal for generations to come. And there are  
19 obviously plenty of resources out there that don't  
20 create such waste.

21 The second flaw that we see with nuclear  
22 power is the cost. It's no secret that for decades,  
23 the nuclear industry has depended on billions and  
24 billions of dollars of government subsidies, most  
25 notably through the Price-Anderson Act, by which

1 taxpayers would be liable if there were some nuclear  
2 accident as opposed to the nuclear facility itself.

3 And so we do not feel that if we have so  
4 many dollars to get us out of this mess, that nuclear  
5 power is the wisest use of those dollars.

6 CHAIRMAN GEORGE: Has the gentleman  
7 concluded?

8 REPRESENTATIVE HUTCHINSON: Yes.

9 CHAIRMAN GEORGE: I thank the gentleman for  
10 his testimony.

11 MR. WILLCOX: Thank you, Chairman.

12 CHAIRMAN GEORGE: I thank all of those  
13 that have presented testimony in regard to  
14 House Bill 80.

15 I thank those in attendance.

16 I thank these committee members that  
17 consistently show a very, very zealous need to help  
18 Pennsylvania in every way.

19 And if there is no other business before  
20 this committee---

21 REPRESENTATIVE HUTCHINSON: Can I make a  
22 comment? There's something I want to put on the  
23 record.

24 CHAIRMAN GEORGE: The gentleman, the  
25 Co-Chairman, wants to put something on record.

1 Short.

2 REPRESENTATIVE HUTCHINSON: Very short.

3 Just to clarify, a question was asked  
4 earlier about condemnation language, and there is  
5 condemnation language in this bill. I did raise it  
6 with the DCNR Secretary afterwards, and I will be  
7 pursuing that further.

8 But I just want it on the record that that  
9 language is in the bill today. He said he was  
10 unaware of it.

11 Thank you.

12 CHAIRMAN GEORGE: Let it be acknowledged  
13 that the gentleman has now placed on record that he  
14 reads something that somebody hasn't read.

15 If there is no other business before this  
16 committee, we stand adjourned.

17

18 (The hearing concluded at 2:50 p.m.)

19

20 **SUBMITTED WRITTEN TESTIMONY**

21 \* \* \*

22 DOUGLAS L. BIDEN, President, and TERRANCE J.  
23 FITZPATRICK, General Counsel, Electric Power  
24 Generation Association, submitted the following  
25 written testimony:

1 DATE: May 20, 2009  
2 To: Members, House Environmental Resources and  
3 Energy Committee  
4 FROM: Douglas L. Biden, President  
5 Terrance J. Fitzpatrick, General Counsel  
6 RE: House Bill 80 Printer's No. 1000  
7

8 On May 21, 2009, the House Environmental Resources  
9 and Energy Committee will hold a hearing on  
10 House Bill 80, Printer's No. 1000. This Bill would  
11 amend the Alternative Energy Portfolio Standards Act  
12 ("AEPS Act") to increase the mandated amounts of  
13 Tier I renewable energy from 8% of electricity  
14 consumed by customers to 20% in the years 2021 to  
15 2026. It would also increase the solar energy  
16 mandate from .5% to 3% over the same time frame, and  
17 create an additional specific mandate of up to 3% by  
18 2015 for coal plants using carbon capture and  
19 sequestration technology.  
20

21 The companies that are members of the Electric Power  
22 Generation Association ("EPGA") own most of the  
23 electric generating capacity in Pennsylvania. These  
24 companies are critical to the Commonwealth's economy,  
25 and provide family-sustaining jobs to tens of

1 thousands of Pennsylvanians. EPGA has the following  
2 concerns about H.B. 80:

- 3
- 4 • While the original AEPS Act was intended to  
5 incent development of renewable energy, a  
6 dramatic increase in renewable energy mandates  
7 is likely to lead to dramatically higher  
8 electricity bills for customers. For the  
9 foreseeable future, renewable energy is likely  
10 to remain more expensive than electricity from  
11 other generation sources. In addition, since  
12 renewable sources such as wind and solar only  
13 produce electricity when the wind blows and  
14 the sun shines, they create a need for  
15 additional back-up resources, such as natural  
16 gas-fired generating plants, that must also be  
17 paid for.
  
  - 18
  - 19 • The primary purpose of those advocating  
20 additional mandates for renewable energy in  
21 Pennsylvania is to combat climate change.  
22 This issue is appropriate for federal action  
23 because the federal government can set  
24 national standards and address the rapid  
25 growth in emissions from developing countries



- 1           such as China, which is now the world's  
2           largest producer of carbon dioxide emissions.  
3
- 4           • Of the policy options for combating climate  
5           change, mandating more renewable energy is  
6           likely to be the most expensive. It would be  
7           more economical for the federal government to  
8           establish a "cap and trade" system to limit  
9           greenhouse gas emissions, and then allow  
10          different technologies, including conservation  
11          technologies, to compete to meet these  
12          standards at the lowest cost.  
13
  - 14          • Customers will benefit if investors, rather  
15          than government policymakers, make decisions  
16          about what types of generating plants can most  
17          economically serve customers while meeting  
18          environmental requirements. If investors make  
19          bad decisions, they bear the consequences of  
20          those decisions. If government policymakers  
21          make bad decisions, electricity customers bear  
22          the consequences and will pay higher prices.  
23
  - 24          • Many lawmakers have expressed support for  
25          building more nuclear generating plants.

1           However, if H.B. 80 were approved, 33% of the  
2           electricity consumed in Pennsylvania would be  
3           mandated to come from Tier 1 and Tier 2  
4           alternative energy sources by 2026. This  
5           means that potential investors in new nuclear  
6           plants in Pennsylvania must consider that  
7           one-third of the market will be off limits to  
8           them. This discourages investment in nuclear  
9           plants and other generation technologies, some  
10          of which may not exist yet, that are not  
11          included in the definition of alternative  
12          energy sources.

13

14          • Policymakers in 2009 cannot accurately predict  
15          what technologies will exist or be  
16          economically viable in the years 2021 to 2026.  
17          For example, the development of the Marcellus  
18          Shale natural gas reserves was not anticipated  
19          a decade ago.

20

21          • The economic benefits of requiring increases  
22          in renewable energy are overstated.

23          Government mandates are not the source of  
24          economic prosperity. Moreover, any increase  
25          in jobs in the renewable energy sector is

1           likely to be offset by job losses in forms of  
2           generation that are displaced.

3

4

\* \* \*

5

6           GEORGE ELLIS, President, Pennsylvania Coal  
7 Association, submitted the following written  
8 testimony:

9

10 Thank you for the opportunity to submit written  
11 comments on behalf of the Pennsylvania Coal  
12 Association (PCA) regarding the provisions of HB 80.

13

14 PCA is a trade organization representing bituminous  
15 coal operators -- both underground and surface -- as  
16 well as other associated companies whose businesses  
17 rely on a thriving coal economy. PCA member  
18 companies produce over 85 percent of the bituminous  
19 coal annually mined in Pennsylvania.

20

21 **Profile of the Pennsylvania Coal Industry**

22

23           The Energy Information Administration (EIA)  
24 estimates the demonstrated US coal reserve base at  
25 496 billion tons distributed geographically among

1 31 states; with 27 billion tons in Pennsylvania. At  
2 current consumption levels, coal supplies will be  
3 available for at least the next 250 years. In fact,  
4 on an energy equivalent basis, the 5,441 quadrillion  
5 BTUs of US coal surpasses the 4,446 quadrillion BTUs  
6 of Middle East oil.

7  
8 Pennsylvania is the fourth leading coal producing  
9 state, mining 68 million tons last year. Almost  
10 80 percent of this output came from 39 underground  
11 mines and the remainder from 377 surface mining and  
12 reprocessing sites.

13  
14 In addition, the **Pennsylvania mining industry**  
15 constitutes a major source of employment and tax  
16 revenue. Last year, it **created 49,100 direct and**  
17 **indirect jobs with a total payroll in excess of**  
18 **\$2.2 billion. Taxes on these wages netted over**  
19 **\$700 million** to the coffers of federal, state and  
20 local governments.

21  
22 The steam coal market is the largest customer for  
23 Pennsylvania coal. About 75 percent of  
24 Pennsylvania's annual bituminous coal production goes  
25 to the electricity utility sector.

1 Coal has been and will continue to be the major fuel  
2 of choice for electricity generation. Fifty percent  
3 of the United States' electricity is generated by  
4 coal and coal accounted for 56 percent of the total  
5 amount of electricity produced in Pennsylvania last  
6 year.

7  
8 In addition, coal is by far the least expensive  
9 fossil fuel on a dollar per million BTU basis for  
10 electric generation, averaging almost one-fourth the  
11 price of natural gas (\$2/mmBTU versus \$7/mmBTU).

12  
13 Pennsylvania's reliance on coal-fired electricity has  
14 made the Commonwealth one of the largest exporters of  
15 electric power in the US. About 30 percent of the  
16 electricity produced in Pennsylvania is exported to  
17 other states.

18  
19 As coal use has increased, technological advances  
20 have allowed it to be processed in a cleaner manner.  
21 For example, from 1970 to 2009, coal generation has  
22 increased by 225 percent while emissions of regulated  
23 pollutants decreased by 77 percent.

24  
25 HB 80 consists of two separate and distinct issues,

1 both of which would have a profound impact on the  
2 generation of coal-fired electricity in Pennsylvania  
3 and a significant impact on coal production and  
4 employment.

5  
6 One part of the bill would dramatically increase the  
7 Tier I requirements of Pennsylvania's Alternative  
8 Energy Portfolio Standards Law (AEPS), which would  
9 negatively affect the use of coal; the other would  
10 provide incentives to encourage development and  
11 commercial deployment of Carbon Storage and  
12 Sequestration Technology (CC&S), which would  
13 positively affect coal use.

14

15 **Customer Choice**

16

17 In 1996, Pennsylvania enacted a law designed to  
18 deregulate the Commonwealth's electric generation  
19 market.

20

21 Dubbed the Customer Choice Act, the bill was intended  
22 to create a competitive generation industry and move  
23 the market from being regulator-driven to consumer  
24 driven. In effect, customers would be able to choose  
25 the source of their electricity.

1 Competition placed a premium on the cost and  
2 reliability of a given fuel. With coal being the  
3 most affordable and reliable energy source, PCA  
4 supported the Customer Choice Act as a means to  
5 increase coal use.

6  
7 Competition assumes the existence of a level playing  
8 field on which various fuel options can compete  
9 equally on their merits. However, in recent years  
10 government has, through regulations, subsidies and  
11 mandates, again forced its influence on the market in  
12 a manner that skewed the playing field against the  
13 use of coal.

14

15 **AEPS**

16

17 Among the intrusions into the customer choice  
18 electric market was the 2004 enactment of  
19 Pennsylvania's AEPS.

20

21 Essentially, the AEPS requires Pennsylvania's  
22 electric utilities to acquire a minimum of 18 percent  
23 of their electricity by 2021 from two categories of  
24 energy sources -- 8 percent from Tier I resources,  
25 which are traditional renewable energy resources like

1 wind and solar; and 10 percent from Tier II sources,  
2 more unconventional "alternative" energy sources.

3  
4 In addition, the AEPS requires 0.5 percent of the  
5 8 percent Tier I source requirement to come from  
6 solar photovoltaic technologies.

7  
8 **HB 80 Amendments to AEPS**

9  
10 **Under HB 80, the AEPS Tier I and II requirements**  
11 **would dramatically increase from 18 percent by 2021**  
12 **to 33 percent by 2026.**

13  
14 Most of the increase is directed towards the Tier I  
15 category -- increasing the amount of electricity  
16 required of these sources from 8 percent to  
17 20 percent, including an increase from 0.5 percent to  
18 3 percent the amount of Tier I electricity that  
19 specifically must be produced from solar photovoltaic  
20 technologies.

21  
22 Tier II increases from 10 percent to 13 percent by  
23 2015, with the additional 3 percent set aside for  
24 plants that utilize CC&S technologies.

25



1 PCA opposes these amendments to the AEPS and, at the  
2 very least, views consideration of HB 80 at this time  
3 to be premature for the following reasons.

4  
5 **1. Will Current Targets Be Met?**

6  
7 • PUC Study

8  
9 Under the existing AEPS law, the PUC is  
10 directed, by 2010, to conduct a study of the  
11 compliance status with the current targets and  
12 make a recommendation to the General Assembly  
13 regarding the merits of enacting additional  
14 compliance targets.

15  
16 **The Tier I renewable energy targets already**  
17 **established in the current AEPS alone will be**  
18 **challenging enough to meet.**

19  
20 Currently, less than 1 percent of all energy  
21 used by Pennsylvania homes, businesses and  
22 industries is obtained from solar energy. Can  
23 the existing 8 percent Tier I target be  
24 logistically met? If so, at what cost? Will  
25 it affect the Commonwealth's capability to

1 maintain a reliable electricity supply to meet  
2 future energy demands?

3  
4 Other states that have enacted some form of  
5 Portfolio Standards do not have the special  
6 carve-out requirement for a percentage of  
7 electricity coming from solar PVs because it  
8 is too expensive. If it isn't affordable for  
9 other states, can it possibly be affordable  
10 for Pennsylvania?

11  
12 The legislature obviously thought that these  
13 questions needed to be answered before any  
14 increase in the current targets were  
15 contemplated. That is why the provision  
16 requiring the PUC review as a prerequisite  
17 to further amendments was included in the  
18 law.

19  
20 **PCA strongly recommends that the PUC be**  
21 **allowed to conduct the required statutory**  
22 **study before any action is taken on this**  
23 **bill or prior to any increase in AEPS**  
24 **targets.**

25

1 • DEP Report on Climate Change

2  
3 Last summer the Pennsylvania legislature  
4 enacted Act 70 which, among other things,  
5 directed DEP to submit a climate change action  
6 plan to the governor and General Assembly by  
7 October 9, 2009.

8  
9 The law also created a Climate Change Advisory  
10 Committee (CCAC) consisting of 21 members from  
11 the public and private sectors to help guide  
12 the Department in developing the plan.

13  
14 Act 70 was a prudent attempt by the  
15 legislature to take a deliberate,  
16 "look-before-you-leap" approach in assessing  
17 the merits and impacts of a state response to  
18 the climate change issue. HB 80 is currently  
19 being analyzed by the CCAC to determine if it  
20 should be included in the response.

21  
22 PCA believes that any consideration of HB 80  
23 must be deferred until a full and complete  
24 assessment of its provisions is completed by  
25 the CCAC under the provisions of Act 70.

## 2. Cost and Supply Implications

Before we set in motion a mandate that will displace existing baseload coal-fired electric generation with new solar and wind units, the cost and supply implications must be fully analyzed.

Increases in electric rates will be substantial. An existing coal-fired power plant can generate electricity at a cost of about \$30 per MWH. By comparison, that cost would double for power generated from wind (about \$60 per MWH) and would be about 10 times the price from a solar PV unit (\$327 per MWH).

**HB 80 would force non-competitive electric rates on consumers at a time when there is mounting concern over the pending expiration of electricity rate caps and predicted rate hikes.**

Not only would this be devastating to an already-shrinking manufacturing base, to cost-sensitive homeowners and to those who lost their jobs due to a gripping economic downturn, but the burden of escalating energy prices falls

1 disproportionately on the financially  
2 disadvantaged.

3

4 For example, a family whose income is between \$30-50k  
5 per year spends 16 percent of their after-tax income  
6 on energy costs; a family with income between \$10-30k  
7 per year spends 20 percent; for less than \$10k per  
8 year the figure is 47 percent.

9

10 Overall, 61 million American households earn less  
11 than \$50k each year and will devote an average of  
12 18 percent of their after-tax income to energy.

13

14 Having a reliable (and affordable) source of  
15 electricity is a prerequisite to economic growth.

16 How will an increased reliance on solar and wind (at  
17 the expense of coal-fired generation) affect the  
18 reliability issue?

19

20 **Both solar and wind are intermittent energy**

21 **resources.** The capacity factor for solar PVs is  
22 13 percent; for wind it is 27 percent.

23

24 What will be the extent of our backup power and at  
25 what costs? Any targets must be with due

1 consideration given to meeting future energy  
2 demand.

3

4 These questions must be addressed before any  
5 consideration of HB 80.

6

7 **3. Barriers to Increased Use of Renewable Energy**  
8 **Resources (RER)**

9

10 **Both the EIA and DOE project renewable-generated**  
11 **energy (including solar and wind) to be only**  
12 **12.5 percent of the US's generation by 2030, even**  
13 **with excessive government subsidies.**

14

15 Among the impediments to increased use of solar  
16 are high initial system costs, ensuring equipment  
17 availability, developing a quality installation  
18 infrastructure and expanding distribution  
19 networks.

20

21 Moreover, Pennsylvania's climate is not as amenable  
22 for solar power as that in some other states. We are  
23 not a solar intensive state and do not have the same  
24 solar radiation as a desert state, for example.

25

1 **Pennsylvania ranks 22nd among the states in wind**  
2 **power potential.**

3

4 Against this backdrop, we cannot expect to achieve a  
5 20 percent Tier I target by 2026.

6

7 There are currently a plethora of subsidies, tax  
8 credits and other incentive programs in place to help  
9 develop this fledgling renewables industry.

10

11 Available federal tax credits for solar and wind  
12 currently amount to a subsidy for these resources of  
13 about 2.1 cents/KWH.

14

15 Beyond the AEPS, Pennsylvania offers a number of  
16 programs to help build the RER industry:

17

18       Alternative Energy Investment Fund (AEIF)  
19       which sets aside \$100M to cover up to  
20       35 percent of the installed cost of solar  
21       technologies for residential customers and  
22       small businesses; the \$80 million economic  
23       development fund created as part of the AEIF;  
24       net metering regulations that enable owners of  
25       customer-sited distributed generation (such as

1 PV) to obtain retail credit from utilities for  
2 power produced on site; and Standardized  
3 Interconnection Rules that simplify and  
4 streamline interconnection for grid-connected  
5 distributed generation.

6

7 **Even with these programs in place less than 1 percent**  
8 **of our electricity is generated by RER.**

9

10 At what point does government make the determination  
11 that it is time for the resource to survive on its  
12 merits or that increased funding and mandates are  
13 insufficient to overcome the barriers to development  
14 in certain states?

15

#### 16 **4. Federal Renewable Energy Portfolio Act**

17

18 Congress is currently working on a bill that would  
19 create a 15 percent RER Portfolio Standard.

20

21 This would be 3 percent less than the current AEPS  
22 and 18 percent less than what is proposed in HB 80.

23

24 Pennsylvania should not enact legislation that is  
25 over double the federal standard. It will put our



1 economy at a severe competitive disadvantage and cost  
2 us jobs.

3

4 **CC&S Provisions of HB 80**

5

6 Under HB 80 the state would be required to:

7

8 • Develop and operate a carbon dioxide  
9 sequestration network (CSN).

10

11 • Insure the operation of the CSN; plant owners  
12 would be immune from liability for carbon  
13 leakage.

14

15 As previously mentioned, the bill also creates a  
16 3 percent set aside in Tier II of the AEPS for plants  
17 that utilize CC&S technologies.

18

19 With the exception of the 3 percent set aside,  
20 the CC&S provisions could stand alone on their  
21 merits.

22

23 PCA recommends decoupling these provisions from  
24 HB 80 and introducing them as a separate bill.

25

1 Development and deployment of CC&S technology will  
2 provide an option for building new coal-fired plants  
3 as the existing fleet is retired. As such, new  
4 technology would help the Commonwealth continue its  
5 reliance on a source of electricity that is  
6 affordable, reliable and indigenous to the state.

7  
8 Therefore, PCA believes that efforts to encourage  
9 this technology are a prudent step in ensuring the  
10 continuation of coal-based power.

11  
12 **Conclusion**

13  
14 Some states are better equipped to produce renewable  
15 energy. Other states have less access to these  
16 resources and are heavily invested in other means of  
17 production.

18  
19 Pennsylvania is a prime example of the latter. With  
20 90 percent of its electricity produced by coal and  
21 nuclear (56 percent and 34 percent, respectively),  
22 Pennsylvania has a long history of maintaining a high  
23 level of electric reliability and has avoided the  
24 periodic blackouts and burnouts that have interrupted  
25 services and devastated economies in other regions of

1 the country.

2

3 Before we significantly alter this generation mix by  
4 requiring a state like Pennsylvania to produce  
5 20 percent of its electricity from renewables, we  
6 need to ensure that this action will not upset our  
7 balance of power as a net exporter of electricity or  
8 jeopardize the affordability and reliability of our  
9 electricity deliveries.

10

11 Frankly, no assurances have been provided that  
12 Pennsylvania will meet its existing renewable targets  
13 in a timely manner. To increase these targets at  
14 this time by enacting HB 80 would be a recipe for  
15 economic disaster.

16

17 Thank you again for allowing PCA to offer these  
18 comments. I would be happy to meet with you at your  
19 convenience to discuss these issues in more detail.

20

21

22

23

24

25

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

**HANDOUTS**

\* \* \*

ANDREW PLACE, Research Fellow, Department of Engineering and Public Policy, Carnegie Mellon University, submitted written testimony.

For testimony, see attached handout.

\* \* \*

JOHN CURTIS, Founder and CEO, Green Energy Capital Partners, LLC, submitted written testimony.

For testimony, see attached handout.

\* \* \*

MAUREEN MULLIGAN, The Solar Alliance, submitted written testimony.

For testimony, see attached handout.

1           I hereby certify that the proceedings and  
2 evidence are contained fully and accurately in the  
3 notes taken by me on the within proceedings and that  
4 this is a correct transcript of the same.

5

6

7

Debra B. Miller, Reporter

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25