

1 HOUSE OF REPRESENTATIVES  
2 COMMONWEALTH OF PENNSYLVANIA

3 \* \* \* \*

4 Zoom hearing to Discuss Treatment Options  
5 in Pennsylvania For COVID-19

6 \* \* \* \*

7 House Health Committee

8 Irvis Office Building  
9 Room G-50  
10 Harrisburg, Pennsylvania

11 Tuesday, October 20, 2020 - 9:31 a.m.

12 --oOo--

13 COMMITTEE MEMBERS PRESENT:

14 Honorable Kathy L. Rapp, Majority Chairwoman  
15 Honorable Valerie S. Gaydos (virtual)  
16 Honorable Jerry Knowles (virtual)  
17 Honorable Brad Roae  
18 Honorable Paul Schemel  
19 Honorable David H. Zimmerman  
20 Honorable Dan Frankel, Minority Chairman  
21 Honorable Mary Jo Daley (virtual)  
22 Honorable Pamela A. DeLissio  
23 Honorable Elizabeth Fiedler  
24 Honorable Sara Innamorato (virtual)  
25 Honorable Stephen Kinsey

1300 Garrison Drive, York, PA 17404  
717.764.7801

**Key Reporters**

[keyreporters@comcast.net](mailto:keyreporters@comcast.net)

## INDEX OF TESTIFIERS

TESTIFIERS	PAGE
Remarks by Majority Chairwoman Rapp.....	3
Remarks by Minority Chairman Frankel.....	13
Susan R. Weiss, Professor and Vice Chair, Department of Microbiology Co-Director of Penn Center for Research on Coronaviruses and other emerging pathogens at Perelman School of Medicine at University of Pennsylvania	4
Amy Walker, Director.....	15
Infectious Diseases Policy Biotechnology Innovation Organization	
Sharon Lamberton, MS, RN.....	23
Pharmaceutical Research & Manufacturers of America	
Jim Pettinato, Chief Nursing Officer and Director of Patient Care.....	36
Wayne Memorial Hospital The Hospital and Healthsystem Association of Pennsylvania	
SUBMITTED WRITTEN TESTIMONY	
(See other submitted testimony and handouts online.)	

1 MAJORITY CHAIRWOMAN RAPP: Good morning,  
2 ladies and gentlemen, members of the public,  
3 members, thank you for being here.

4 For the physicians who are with us  
5 today, we truly appreciate you taking your time to  
6 presenting your testimony. We have had a change in  
7 our schedules, both the Republican caucus and the  
8 Democrat caucus. So, at the end of the testimony,  
9 I think the last presenter is Mr.--Pardon me if I  
10 mispronounce your name. Jim, I know you just spoke  
11 earlier--Pettinato. After your testimony, we will  
12 not have any comments or questions because of the  
13 change in our schedules.

14 But, members certainly, if you have  
15 questions, you can hand them to Whitney; get them  
16 to Whitney or Erica. We'll make sure you get any  
17 questions. And if you wouldn't mind responding  
18 that way, we would truly appreciate it. It's just  
19 the -- the way things happen here, we never know  
20 when our schedule is going to change.

21 So, at this point in time, we truly are  
22 thankful that you are here today giving us  
23 information regarding the treatments of COVID. We  
24 all know that this is a pandemic. It is real. But  
25 we are at the point where a lot of our constituents

1 are saying, what's the treatment, what's the  
2 treatment, what do I do? So, we are very pleased  
3 to have you here today.

4 We had a presentation two weeks ago  
5 which was absolutely excellent. It was nice to  
6 know that we have a physician in Pennsylvania  
7 involved in Operation Warp Speed. And so, we're  
8 really looking forward to what the presenters today  
9 can add to what we learned the last time we were  
10 here.

11 So, Professor Weiss, if you would like  
12 to go ahead. Doctor Susan Weiss is a professor and  
13 Vice Chair of the Department of Microbiology,  
14 Co-Director of Penn Center for Research on  
15 Coronavirus and other Emerging Pathogens at the  
16 Perelman School of Medicine at the University of  
17 Pennsylvania.

18 Welcome, professor, and you may proceed.

19 A VOICE: Would you unmute your  
20 microphone, please?

21 PROFESSOR WEISS: Thanks. Sorry.

22 I'm going to give you a short history of  
23 coronaviruses, which has actually been around for  
24 quite a long time. This pandemic is not newly -- a  
25 new virus, and then I'm gonna talk about what we

1 know about these viruses and how they can inform us  
2 in terms of thinking about vaccine development and  
3 drug strategies, and also, how we can prepare us  
4 for future emerging viruses, which coronaviruses  
5 are very likely to re-emerge in the future as well.  
6 So, just let me show you a little bit about what  
7 these viruses are.

8           So this is a picture of a coronavirus.  
9 It has this crown-like morphology. This is a  
10 picture from an electron microscope. Maybe this is  
11 familiar now to most of you. But the coronavirus  
12 is a family when they're very larger -- a large  
13 virus family called Nidoviruses.

14           Here's a diagram of a coronavirus. It  
15 has a very long RNA genome, and that's complex with  
16 a protein called a nucleocapsid. And this --  
17 Sorry. This is going -- hard to manage.

18           This is a helical nucleocapsid inside of  
19 the virus particle. Around the virus is a membrane  
20 that's actually derived from the host membrane.  
21 And in that there are three proteins in the viral  
22 membrane, the spike protein. People probably heard  
23 about that. That's the protein that vaccines are  
24 directed against. And it's a really important  
25 protein because it mediates entry of the virus into

1 the cell.

2 It's important to determine what cell  
3 types are infected, what the immune response is,  
4 and how virulent, or how pathogenic the virus is.

5 Other proteins in the membrane are  
6 called M and E. They also have important roles in  
7 replication, but they're not usually used as  
8 vaccine targets, and some coronaviruses in COVID,  
9 hemagglutinin-esterase protein as well.

10 I want to give you a brief timeline of  
11 coronavirus research release. Way back in the '60s  
12 and '70s, we can find reports of two human  
13 coronaviruses that caused the common cold, OC43 and  
14 229E, caused the common cold, but OC43 can  
15 sometimes cause a more lower respiratory track  
16 disease and more pathogenic infection.

17 There's a lot of research done on these  
18 viruses in the '80s and the '90s shown here by a  
19 small group of researchers. This was always a  
20 field that was kind of hard to get funding for  
21 because nobody thought it was very important at the  
22 time.

23 There's also a lot of animal  
24 coronaviruses that were studied during this period.  
25 Those are important pathogens of chickens, of cows,

1 of dogs and cats as well.

2 So, in 2002 when SARS, the first SARS  
3 emerged in southern China, it was quite a shock to  
4 the world and to the coronavirus community who only  
5 knew about these common cold viruses as human  
6 coronaviruses. This epidemic, I'll talk about it  
7 in a minute a little bit more, it only lasted for  
8 about eight months, and in the wake of that we  
9 realized that that -- that's were actually a large  
10 reservoir for many coronaviruses.

11 We also, at the same time, people  
12 started looking for more human coronaviruses and  
13 identified HKU1 and NL63 as causing pneumonia and  
14 bronchiolitis.

15 So then, things were pretty quiet in the  
16 world of coronaviruses until 2012 when  
17 MERS-Coronavirus emerged in the Middle East causing  
18 an epidemic that still leads to and infects new  
19 people, but -- but it didn't really spread beyond  
20 the Middle East.

21 Then in 2019, as we all know, SARS-  
22 Coronavirus-2 emerged in Wuhan, China, causing the  
23 epidemic that we're living with today, that causes  
24 -- All of these flu viruses in red cause severe  
25 acute respiratory syndromes, and so COV-2, the

1 disease, has been named COVID-19. So this has sort  
2 of been an analogy to HIV and AIDS. So COV-2 is  
3 the virus, and COVID-19 is the disease that it  
4 causes, just to be clear about that.

5 I just want to talk a little bit about  
6 the three epidemics. So SARS, the first SARS  
7 emerged in China in 2002. It has a reservoir in a  
8 Horseshoe bat. It treads -- was transmitted from a  
9 bat to a civet and then to humans. And then once  
10 in humans, it's spread by human close -- human-to-  
11 human close contact and spread mostly in Asia. It  
12 did get to Toronto and some other parts of the  
13 world. But it pretty much stayed in Asia. And in  
14 eight months, there were about 8,000 infections  
15 with about a 10 percent mortality.

16 Did this happen again? We don't really  
17 know. Was this a one-time transmittance to a civet  
18 to a human, or did it happen again? We still don't  
19 know that.

20 This coronavirus is a little bit  
21 different. It also has its reservoir in bats; was  
22 transmitted to camels where it has a major  
23 reservoir. So many camels in the Middle East and  
24 Africa has MERS virus in them, and it transmits  
25 from camel to camel, camel to human. And then once

1 in humans, it does spread among humans, but in a  
2 much more limited way than SARS. It's mostly in  
3 the Arabian peninsula. There was an outbreak in  
4 Korea from a person who traveled from the Middle  
5 East. Camels are reservoirs, as I said, and  
6 there's still people being infected by  
7 MERS-Coronavirus.

8 There's similar cases in 2020, but only  
9 been about 2,500, but this is the most lethal of  
10 the three lethal coronaviruses, 35 percent  
11 mortality. In 2019, again, the SARS-CoV-2 we  
12 believe it has a reservoir in the bat. It was  
13 transmitted, perhaps, through an intermediate  
14 species, but I think some data suggest that it  
15 might have gone directly from bats to humans.

16 Then once in humans, as we all know, it  
17 spreads really, really rapidly mostly because of  
18 its asymptomatic or presymptomatic spread, which  
19 wasn't true for either SARS or MERS, and you spread  
20 all over the world as we can see here. This is, as  
21 of yesterday, there were 30 million infections in  
22 the world, over a million deaths, and in the U.S.  
23 over 8 million infections and over 200,000 deaths  
24 as well.

25 So, each one of these epidemics

1 originated in a bat, transmitted to humans, but the  
2 actual way it was transmitted is slightly different  
3 or somewhat different in the way it transmitted  
4 among humans.

5           So, I just want to make this statement  
6 in response to some of the conspiracy theory that's  
7 been floating around. How do we know that SARS was  
8 not engineered by humans? We know that because  
9 very similar viruses are found in bats. It doesn't  
10 resemble any known or common virus, and it's not  
11 easy to make a new virus, and it's really not  
12 possible for anyone to know how to design a virus  
13 with the properties of SARS-CoV-2. It's not  
14 obvious from its genetics or from its genome why it  
15 would behave this way.

16           I just want to give you a little science  
17 here of what was done, a couple more slides. For  
18 the scientists among you, if there are any,  
19 coronaviruses are divided into different lineages.  
20 I just want to show you that all of these viruses,  
21 these human viruses are divided among these  
22 lineages as well.

23           229E and NL63 are called alpha-  
24 coronaviruses. The rest of them are  
25 betacoronavirus, OC43 and HKU1 and lineage A, SARS

1 in lineage B, and MERS-Coronavirus in lineage C.  
2 They all have very similar genomes, and this is  
3 important in terms of thinking about therapies.  
4 They have very similar 16 proteins encoded in this  
5 part of the genome, similar in all of these  
6 viruses. The spike protein that is encoded here in  
7 all of these viruses, and then the other structured  
8 proteins here.

9 So, when SARS-2 emerged in China and it  
10 ended in 2019, it was quickly sequenced and it was  
11 shown to belong into this category, and that's why  
12 it's SARS-CoV-2 because it's closely related to  
13 SARS.

14 I just want to make the point here that  
15 the spike protein, which is generally the target of  
16 vaccines, is generally very -- it's similar  
17 structure of these viruses, but it's different  
18 enough so that a vaccine made against MERS, for  
19 example, would unlikely protect against SARS and  
20 vice versa, and even within the SARS category,  
21 they're unlikely to cross-protect. That's why it's  
22 really important to think about the remedies and  
23 why it's important to think about these proteins  
24 here, which are really similar and are -- and can  
25 be really -- really are thought of as potential

1 targets for antiviral drugs.

2 And just really quickly to show here, in  
3 these replicase proteins we have two proteases and  
4 an RNA dependent, RNA primase. These have been  
5 shown to be really good drug targets for other  
6 viruses such as HIV or hepatitis C virus.

7 The coronavirus has also encode, and  
8 this is the target that Remdesivir is directed  
9 against. But coronaviruses encode all of these  
10 other enzymes that are potentially serving as drug  
11 targets and should be explored for that.

12 So, just in my summary slide, I just  
13 want a pitch to prepare for future emerging  
14 viruses, and there will very likely be other  
15 viruses, coronaviruses and other viruses emerging  
16 from that. We need to continue vaccine development  
17 and monoclonal antibody treatment. And even though  
18 an antibody or vaccine developed against SARS-2 may  
19 not work against the future virus, the platforms  
20 that are developed will be there and will allow  
21 quicker development of vaccines.

22 We need to develop pan-coronavirus  
23 antivirals directed against all those proteins I  
24 just described, which would be really important for  
25 quick response to future outbreaks. We need to

1 continue to identify and characterize coronaviruses  
2 and other viruses from bats and other species so we  
3 know what's out there and what to prepare for.

4 It's really important also to support  
5 basic biology research because it's only when we  
6 understand these viruses that we can really figure  
7 out how to combat them.

8 And just -- I want to acknowledge the  
9 people I work with in my lab, as well as all these  
10 other people in this country working in very  
11 difficult virus safety three conditions to  
12 understand these viruses better, and that we are  
13 funded by the National Institutes of Health.

14 I will stop here. Okay.

15 MAJORITY CHAIRWOMAN RAPP: Thank you,  
16 Professor Weiss. We appreciate your testimony.

17 I was remiss in trying to get the  
18 meeting off -- I'm state Representative Kathy Rapp.  
19 I chair the Health Committee. There are members  
20 here. I'm going to have them just introduce  
21 themselves quickly.

22 Chairman Frankel, did you have anything  
23 you wanted to open the meeting with, sir, before we  
24 have --

25 MINORITY CHAIRMAN FRANKEL: Thank you,

1 Madam Chair. And thank you to our presenters,  
2 Doctor Weiss. That was a quick but very thorough  
3 presentation. I really appreciate the opportunity  
4 to hear from you. I wish we had more time today,  
5 but, obviously, the schedule was out of our hands.  
6 I don't want to take anymore time. We should  
7 continue with the presentations.

8 MAJORITY CHAIRWOMAN RAPP: Thank you,  
9 Chairman. Representative Roae.

10 REPRESENTATIVE ROAE: Good morning.  
11 Brad Roae. I represent parts of Crawford County  
12 and parts of Erie County.

13 REPRESENTATIVE ZIMMERMAN:  
14 Representative Zimmerman. I represent northeast  
15 Lancaster County.

16 REPRESENTATIVE DeLISSIO: Pam DeLissio,  
17 parts of Philadelphia and Montgomery County.

18 REPRESENTATIVE SCHEMEL: Paul Schemel,  
19 portions of Franklin County.

20 MAJORITY CHAIRWOMAN RAPP: Thank you,  
21 members. We also have members who are --

22 A VOICE: I'm representing --

23 MAJORITY CHAIRWOMAN RAPP: -- joining us  
24 virtually as well.

25 So, at this time we will move on to our

1 next presenter, Director Amy Walker, who is a  
2 director for Infectious Diseases Policy,  
3 Biotechnology Innovation Organization. Director  
4 Walker.

5 DIRECTOR WALKER: Thank you very much.  
6 Good morning. Thank you for the invitation to  
7 speak with the committee today.

8 I'm here representing the Biotechnology  
9 Innovation Organization or BIO, and will be  
10 discussing the status of research and development  
11 of COVID-19 vaccines and therapeutics. BIO is the  
12 world's largest trade association representing  
13 biotechnology companies, academic institutions,  
14 state biotechnology centers, and related  
15 organizations across the United States in more than  
16 30 countries.

17 BIO members are involved in the research  
18 and development of innovative health care,  
19 agricultural, industrial, and environmental  
20 biotechnology products. Our membership includes  
21 developers and manufacturer of vaccines,  
22 therapeutics, diagnostics, and medical  
23 countermeasures against emerging infectious  
24 diseases, pandemic pathogens, and other health  
25 security threats.

1           The public-private partnership between  
2           the biotech industry and the U.S. government is  
3           leading the global race to develop vaccines and  
4           cures to protect individuals from the novel  
5           coronavirus. Specifically, our industry is  
6           devoting our expertise, resources, and capabilities  
7           to identify science-based solutions and medical  
8           treatments to combat this threat. These efforts  
9           have encompassed unparalleled collaboration and  
10          cooperation between industry, academia,  
11          non-governmental organizations, and governments  
12          around the world.

13           Pennsylvania is playing a vital role in  
14          the research, testing, and manufacturing of these  
15          products. A number of the biotechnology companies  
16          answering the call on COVID-19 have research and  
17          manufacturing facilities in the state.  
18          Pennsylvania's academic institutions have laid the  
19          foundation for this later-stage work in partnership  
20          with biotechnology companies.

21           As of Monday, October 19, there were  
22          announcements of 759 programs for COVID-19 vaccines  
23          and therapeutics that have been launched over the  
24          past 9 months. Of note, of the more than 750  
25          products, 25 percent are vaccines to prevent

1 infection, 27 percent are antivirals or antibodies  
2 targeting the SARS-CoV-2 virus itself, and 48  
3 percent are treatments for secondary effects of  
4 infections, such as acute respiratory distress  
5 syndrome, inflammation, and cardiovascular issues.  
6 More than 90 percent of products are being  
7 developed by biotechnology companies. More than 70  
8 percent of products in the pipeline have been  
9 discovered by small companies, and more than  
10 50 percent have been discovered by companies based  
11 in the U.S.

12           Approximately 40 percent of all products  
13 are at clinical stage development, and more than  
14 60 percent of the pipeline are biologics and  
15 vaccines, while around a third of the products are  
16 traditional small molecules. The products in  
17 clinical stage show a variety of modalities and  
18 technologies, ensuring that we have many shots on  
19 goal for success.

20           Companies are compressing timelines  
21 through parallel rather than sequential R&D and  
22 manufacturing work. Streamline protocols and trial  
23 recruitment, enrolling data submissions to the Food  
24 and Drug Administration.

25           In addition, Operation Warp Speed has

1     partnered with eight companies to de-risk the R&D  
2     process by providing funding for development,  
3     manufacturing and procurement of vaccines and  
4     therapeutics.

5             Efforts are being made to ensure that  
6     this acceleration does not come at the expense of  
7     safety and efficacy. The clinical trials for the  
8     vaccines are enrolling a high number of patients to  
9     help ensure the data on safety and efficacy are  
10    robust. These Phase 3 studies are being conducted  
11    using clinical trial designs that represent the  
12    gold standard in vaccines R&D, randomized, placebo-  
13    controlled, event-based trials.

14            FDA recently provided guidance on the  
15    use of emergency use authorizations, or EUAs, which  
16    shows that high scientific standards are being  
17    upheld. In September, industry leaders issued a  
18    pledge that affirmed that companies would follow  
19    the science and not seek FDA review until Phase 3  
20    data is collected.

21            While all this progress we have seen is  
22    promising, there are a few challenges to getting  
23    these products to the American public.

24            The pandemic has highlighted existing  
25    disparities in health care, infection risk, and

1 confidence in our medical system. Companies have  
2 been proactive in recruiting to clinical trials  
3 diverse populations from communities especially  
4 hard hit by COVID-19 to ensure that the products  
5 are safe and effective for the people who need them  
6 most. Even so, recruitment of communities of color  
7 lags behind where we would like it to be.

8 In addition to manufacturing on an  
9 unprecedented scale for COVID-19 products  
10 themselves, scale-up is needed for ancillary  
11 products, like needles, syringes, and adjuvants to  
12 ensure that there are no bottlenecks with  
13 fill-finish capacity. This all must be done to  
14 increase capacity and not pull manufacturing  
15 capacity or supplies from existing routine  
16 vaccinations or therapeutics. We do not want  
17 shortages of drugs or outbreaks of other diseases  
18 on top of COVID-19.

19 Now that trials and manufacturing of  
20 vaccines are underway, attention is turning to how  
21 we will get those vaccines to people once they are  
22 authorized or approved by the FDA. The supply of  
23 vaccines will be constrained initially. We won't  
24 have 300 million doses, much less 600 million doses  
25 available on the day of a FDA authorization or

1 approval.

2           The CDC's Advisory Committee on  
3 Immunizations Practices, which makes  
4 recommendations for all vaccines in the U.S., held  
5 monthly public meetings since June to transparently  
6 discuss COVID-19 vaccine development and  
7 prioritization. As inputs into their process, ACIP  
8 is using other frameworks developed by respected  
9 groups, such as the National Academies of Science,  
10 Engineering and Medicine; Johns Hopkins University,  
11 and the World Health Organization.

12           The current focus is on a risk-based  
13 approach, or getting vaccine first to those most at  
14 risk of exposure to COVID-19, with preliminary  
15 groups including health care workers, older adults,  
16 and adults with numerous chronic conditions and  
17 essential workers. Final decisions on  
18 prioritization will be made once we know what  
19 vaccines are ultimately authorized or approved.

20           Operation Warp Speed issued their  
21 distribution plan and guidance to states in  
22 September. States' initial distribution plans were  
23 due to CDC on Friday, and refinement and  
24 adjustments to the plans will continue throughout a  
25 vaccination campaign. Vaccines will largely be

1 distributed using existing infrastructure, with  
2 improvements as need.

3           While we do not know when a vaccine will  
4 be authorized and what vaccines will be authorized  
5 and special considerations like cold-chain  
6 requirements will be, we are pleased to see that  
7 planning for these complexities is happening in  
8 advance. We hope that Pennsylvania will be a  
9 leader in ensuring the phase immunization  
10 infrastructure as prepared for a vaccination  
11 campaign on a scale not previously seen.

12           Finally, public confidence in the safety  
13 and efficacy of any potential COVID-19 vaccines and  
14 treatments are also a concern. Polling numbers  
15 related to the number of people who are willing to  
16 get a COVID-19 vaccine are lower than expected,  
17 with many people citing concerns around the  
18 acceleration of testing.

19           The promise of COVID-19 vaccines can  
20 only be fully realized when people are willing to  
21 get the vaccine. We must work together to  
22 communicate the importance of this vaccine, provide  
23 fact-based information about the development  
24 process and what acceleration actually means, and  
25 the rigorous and continuous testing that these

1 products will undergo.

2 With COVID-19, we must learn from the  
3 mistakes of previous outbreaks and bring products  
4 across the finish line. If not for this outbreak,  
5 then for the next. If resources had been available  
6 to advance research on SARS and MERS products over  
7 the past decade, as Doctor Weiss previously said,  
8 we might have been even further along on COVID-19  
9 products today.

10 The biotechnology industry is committed  
11 to bringing safe and effective vaccines,  
12 therapeutics, and diagnostics across the finish  
13 line for the people in Pennsylvania, the United  
14 States, and around the world. As this important  
15 work continues, remember to wash hands frequently,  
16 wear a mask and social distance, cover your coughs  
17 and sneezes, and get the flu shot.

18 Thank you again for this opportunity,  
19 and I look forward to your questions following the  
20 hearing today.

21 MAJORITY CHAIRWOMAN RAPP: Thank you,  
22 Director Walker.

23 Director Walker, you might not have  
24 heard me say, but our schedules have been changed  
25 here in the House so that, we actually have a

1 meeting at 10, but we're going to continue with our  
2 last two presenters. But if our members have  
3 questions, we will make sure that those questions  
4 are given to you for a response. So thank you very  
5 much.

6 Our next presenter is Sharon Lamberton.  
7 Again, I apologize if I mispronounce anybody's  
8 name. She's is with the Pharmaceutical Research  
9 and Manufacturers of America.

10 Sharon, you may begin your presentation.

11 MS. LAMBERTON: Thank you, Chairwoman  
12 Rapp.

13 Ralph, can you see my slides?

14 A VOICE: No, I see a U. I do not see  
15 your slides.

16 MS. LAMBERTON: I'm sorry. Okay. Do  
17 you see it now, Ralph?

18 A VOICE: Yes.

19 MS. LAMBERTON: Thank you. If I may  
20 kindly ask the other participants to mute the  
21 microphones. I'm getting a lot of feedback when I  
22 heard Amy. I just wanted to see if you all could  
23 mute so we can reduce background noise.

24 Thank you so much, Chairwoman Rapp, and  
25 members of the committee, for allowing PhRMA to

1 present PhRMA's -- a trade association of over  
2 34-member companies representing an industry full  
3 of companies that are working tirelessly right now  
4 to beat this pandemic. Typically, we're competing  
5 against each other trying to bring brand-named  
6 medicines to market for patients all over the  
7 world, but right now we're fighting the pandemic,  
8 and that's our number 1 priority.

9           So I'm very happy to bring you some  
10 slides you should have in your packet. But I want  
11 to tell you a little bit about what our industry is  
12 doing.

13           This slide talks a bit about, in  
14 Pennsylvania, we have over 285,000 jobs that our  
15 industry has brought to the state and about  
16 \$4.6 billion worth in revenue for jobs. We are  
17 working tirelessly trying to look at what's on the  
18 shelf; what are currently the medicines that are  
19 the shelves that we could use to bring  
20 (indiscernible) that might help treat or serve in  
21 the vaccine development.

22           We're also putting all hands on deck.  
23 Those that are currently running clinical trials  
24 are continuing. However, we're shifting scientists  
25 and resources to really work to fight COVID, and

1 that's across the board.

2 We're also sharing in realtime some of  
3 the clinical trial learnings, and that's with  
4 governments, foreign governments like the Chinese  
5 Medicine Association, the European Medical  
6 Association, people that we typically not worked  
7 hand in hand, but we know that's essential if we're  
8 gonna have a vaccine and treatment to share our  
9 information and work together.

10 We're also manufacturing a scaling  
11 before a medicine is even approved. That means  
12 some of our companies are manufacturing millions of  
13 doses of medicines before FDA approves it. You  
14 might think that's crazy because it's not approved.  
15 There are millions of doses that then get trashed.  
16 However, that's just even more close that we can  
17 get that medicine to those that need it, and in the  
18 hands of our providers that will be disseminating  
19 it in Pennsylvania and throughout the world.

20 We're also working to make sure that  
21 it's affordable. You might have seen several of  
22 our CEOs recently make a pledge in addition to  
23 making sure that science is first and we're not  
24 going to be cutting corners. We want to make sure  
25 that it's affordable to all. So that's another

1 commitment that we've made.

2           The next slide talks a little bit more  
3 about what we're doing. You might have heard an  
4 incredible start with Doctor Weiss explaining the  
5 coronavirus. When we look at other viruses like  
6 SARS that took us more than 20 months to sequence  
7 that virus, I'm happy to say, because of the strong  
8 foundation that we have and the research and  
9 development infrastructure and our industries, we  
10 were able to sequence that virus within  
11 four months. Scientists are so far advanced right  
12 now, and we are so happy that was the case because  
13 we were all that much further in getting a vaccine  
14 hopefully within 14 months as compared to what,  
15 typically, is a seven-year timeline for a vaccine  
16 to come to market.

17           And we're also looking at a number of  
18 other things besides the up-scaling of  
19 manufacturing. We're looking at what existing  
20 medicines can we use, antibiotics, antivirals for  
21 treatment of those that already have the virus so  
22 we can reduce the symptoms and lessen the severity.

23           The next slide is one of my favorites  
24 because it gives us promise and hope, showing that  
25 there are over 1,649 clinical trials throughout the

1 world on coronavirus treatments and vaccines. And  
2 of those, 115 are on vaccine. And I can give you a  
3 drill-down on Pennsylvania or any other state to  
4 show you in your backyard where those clinical  
5 trials are occurring.

6 For example, here in Pennsylvania, there  
7 are 29 sites throughout the state that are  
8 conducting clinical trials. This ranges from areas  
9 in Allentown, Doylestown, Danville, Hershey,  
10 Lancaster, Philadelphia, Pittsburgh, Scranton, West  
11 Reading and Wilkes-Barre. And again, happy to  
12 provide Chairman Rapp and the committee members  
13 with specifics.

14 We look at vaccines, and we know that  
15 this is difficult to come up with a vaccine. On  
16 average, a medicine takes 8 to 10 years to come to  
17 market, and about \$2.6 billion, and only 12 percent  
18 succeed. Unfortunately, with vaccines, it's even  
19 worse. We have a 15 percent fast rate; sometimes  
20 5 percent. So that means we need as many shots  
21 unfold and many attempts as possible so that we can  
22 make sure that we have a vaccine ready to go.

23 And we know that vaccines over the  
24 course of the history have really eliminated  
25 terrible diseases that have taken so many lives.

1 Sixteen vaccinations represent a wonderful history  
2 of how we've been able to almost eradicate some of  
3 these other terrible diseases, and we look forward  
4 to doing that with coronavirus. It's also saved  
5 over \$1.6 billion in direct medical costs when  
6 people are not going into the hospital, the  
7 emergency room, missing work and so forth.

8 We (indiscernible; video difficulty)  
9 that are associated with coming up with vaccines.  
10 You all know that. The (indiscernible) are  
11 tremendous. We are using biologics. We're looking  
12 at how we can use our immune system to leverage it,  
13 to fight the virus. There are seven different ways  
14 that vaccines could be manufactured, so we don't  
15 have a one size fits all, which is good news, but  
16 also challenging.

17 Of course, clinical trials are  
18 challenging, too. We want to make sure that we  
19 have sufficient, diverse populations for those  
20 clinical-trial volunteers. As a nurse, I worked in  
21 NIH, and I saw the importance of making sure that  
22 the end-treatment population, folks that are going  
23 to benefit from that medicine, are really well  
24 represented in that clinical trial.

25 So, for coronavirus, we know that the

1 communities of color are disproportionately  
2 impacted. We know those with comorbidities, like  
3 diabetes, has tremendous complications. Sometimes  
4 three to four times than those that don't, the  
5 healthier folks that get coronavirus. Why is that?  
6 We need to make sure we're studying that in our  
7 clinical trials.

8           Of course, the manufacturing  
9 distribution challenges are great. Just thinking  
10 about refrigeration, we have to make sure that some  
11 of these vaccines are stored at negative 70 degree,  
12 um, temperature. Some are negative 20. The  
13 transportation, the delivery, and ensuring that the  
14 vaccination is appropriately stored in the correct  
15 temperatures are going to be a challenge for all  
16 states, but not just states. Thinking about the  
17 other folks throughout the world where many of them  
18 don't have refrigeration. It's going to be a  
19 challenge.

20           But, we are up to it. We are making  
21 incredible progress. I referenced SARS and how far  
22 we've come from then. In using novel approaches  
23 like RNA, that we can maybe make sure that this  
24 virus is actually eradicated by using our own  
25 genetic sequencing so that it can attack the virus.

1           Adjuvants are another thing that we are  
2 seeing many of our companies partner with others  
3 which can boost our immune system. It's almost  
4 like a V-8, if you will, the medical compliment,  
5 our natural immune reactions.

6           There are seven different approaches  
7 that I referenced that help ice this virus. And we  
8 look at inactivated virus like you typically seen  
9 for our flu virus, and all the way down to six  
10 o'clock, if you will, on this circular map would  
11 show the RNA virus.

12           Never have we had a vaccine yet approved  
13 using RNA technology, but this is exciting because  
14 it could potentially provide longer immunities so  
15 that we don't need to get continual boosters like  
16 you might for tetanus where, every 10 years when  
17 you cut yourself with something or potentially  
18 encounter something rusty, we don't want to react  
19 negatively and die from those spores, so we have to  
20 get every 10 years a tetanus shot. So how  
21 wonderful it would be if we had a one-time immunity  
22 to COVID using RNA.

23           It is a wonderful smattering of all the  
24 different companies that are doing great work, but  
25 this is the slide where it shows the front runners

1 of the Phase 3 clinical trials; meaning, that there  
2 are four different companies that are bringing the  
3 clinical trials in the human phase, Phase 3, to  
4 bear. So this, they're coming to conclusion. We  
5 should have data as early as November, and this is  
6 really exciting.

7           You might ask, Sharon, how in the world  
8 does a vaccine timeline be shortened? You just  
9 referenced seven years to bring a vaccine to  
10 market, 12 for a normal medicine. How are you all  
11 doing this in 14 months, and how are you doing it  
12 safely?

13           I had mentioned we built on our previous  
14 knowledge. Our incredible scientists throughout  
15 the world and our technology that we've had allowed  
16 us to sequence it quickly. We are investing  
17 billions in technology that helped us decode it  
18 more quickly. We're up-scaling before FDA  
19 approval. We are doing a lot of things that are  
20 helping us bring that to market much quickly.  
21 Operation Warp Speed, a new entrance that we've  
22 seen, is helping. Part of the federal government  
23 that's not just providing funding, but they are  
24 identifying the most promising candidates so that  
25 we can expedite that through clinical trials.

1           And safety is of utmost important. We  
2 are not cutting corners. FDA has one of the best  
3 gold standards, safety records in the world. We  
4 want to make sure that whatever is approved will be  
5 safe for us, for our family members, and there are  
6 a lot of things already in place that will monitor  
7 for the safety profile of the medicine approved,  
8 not just before that's approved by the FDA, but as  
9 well after. This references some things like the  
10 vaccine adverse event reporting system, and some of  
11 the other entities that are continually working  
12 with health care providers in our communities to  
13 identify any issue whatsoever so that we can  
14 rectify it.

15           And then, finally, this is just showing  
16 you a few of the different processes that we --  
17 that Amy and Doctor Weiss had referenced. There's  
18 a lot of cooks in the kitchen once there's an  
19 approval. There's a lot of folks that will be  
20 advising on the guideline on how this vaccine will  
21 be used, with whom it should be used first, which  
22 are the minority populations for Pennsylvania, and,  
23 of course, how is it going to be covered and paid.  
24 And what's the implementation going to be like in  
25 the state to get such a massive vaccination done so

1 quickly?

2           So this shows you some of those entities  
3 that are hard at work right now, and some meeting  
4 just this Thursday, actually, where there's FDA  
5 committees meeting this Thursday.

6           And then, of course, I mentioned we have  
7 a price that several of our member companies said,  
8 we, no matter what you might hear or political  
9 drama going on in this news, we want to reassure  
10 that -- we could uphold to reassure the residents  
11 of Pennsylvania and everyone throughout the world  
12 that we are working to make sure that this vaccine  
13 is safe, and that we have an efficient range of  
14 options so that one size doesn't fit all.

15           There's one vaccine that might be a one  
16 time only. Another one that might need to go  
17 again. After 28 days you might need a booster. So  
18 if we have as many choices as possible for our  
19 population, that's even better.

20           And then, finally, looking at when we do  
21 have that approval, probably make sure that the  
22 medicine supply change is equipped to quickly  
23 handle it. How do we make sure that we have that  
24 robust inventory? How do we make sure we have the  
25 health care providers on hand to administer it?

1     What locations are they going to be in  
2     Pennsylvania? Are they going to be mobile units?  
3     Are they gonna use existing qualified health care  
4     centers and hospitals and clinics?

5             These are all things that Pennsylvania  
6     -- And I do say that your state is far ahead in  
7     planning and very well equipped with figuring out  
8     how we are going to disseminate it in a clear way  
9     to make sure that it's safely administered using  
10    the distant guidelines that we need to maintain for  
11    quite some time.

12            Finally, these are just last resources  
13    that are important for you as your federal  
14    legislators working to bring to your constituents.  
15    We have heard about the many, many Americans who  
16    have lost their jobs who need help. But first,  
17    those that want to know, how do you get in a  
18    clinical trial even if you're a healthy volunteer?  
19    These are some great resources to tell your  
20    constituents, should they want to get into a  
21    clinical trial.

22            But, more importantly, if you're having  
23    trouble affording your medicines, it might be  
24    diabetes insulin. It could be asthma medication.  
25    Whatever it might be because of a loss of a job or

1 a change in economic circumstances, we have a  
2 program called Medication Assistance Tool, M-A-T  
3 dot org that compiles 950 different programs and  
4 gives you free or nearly-free medicines through  
5 this programs.

6 You do not have to be uninsured. You  
7 can have insurance but just not enough. You could  
8 have other public programs and it's assisted. So  
9 please know that this is a swath of wide programs  
10 that are equipped to get patients to medicines that  
11 they need.

12 And then, finally, you probably heard of  
13 Healthcare Ready, who is a third-party entity that  
14 was erected after Hurricane Katrina, where patients  
15 didn't have a hard copy prescription to go say,  
16 hey, I swear I'm a diabetic. I need my insulin,  
17 but I don't have a prescription at hand. My  
18 mom-and-pop pharmacy has been shut down because of  
19 a natural event, a disaster.

20 Now it's not a natural disaster, but  
21 it's a pandemic and mom-and-pop shops are closing,  
22 and patients don't have access to their medicines  
23 as quickly as they do. So Healthcare Ready is a  
24 great partner in just working with your Governor,  
25 and with many of you all to get supplies and

1 medicines to those that need it.

2 And then, I stand ready, as Amy and  
3 Doctor Weiss said, Chairwoman Rapp, and your  
4 members of the committee, please feel free to give  
5 PhRMA (indiscernible) any of us with questions, and  
6 we will be very responsive and get that information  
7 back to you.

8 Thank you for this time. We appreciate  
9 it.

10 MAJORITY CHAIRWOMAN RAPP: Thank you,  
11 Sharon. That was very informative.

12 Our last presenter today is Jim. Jim,  
13 again, I apologize if I'm mispronouncing your name,  
14 Pettinato, Chief Nursing Officer and Director of  
15 Patient Care at Wayne Memorial Hospital.

16 Jim, you may proceed as soon as you're  
17 ready.

18 MR. PETTINATO: Thank you very much. I  
19 appreciate the invitation. Very well on the name,  
20 so no problem there.

21 It's really a pleasure to be able to  
22 give an overview of what our hospital has done  
23 here. Am I getting feedback coming through?

24 A VOICE: No. You sound fine in the  
25 room here.

1 MR. PETTINATO: Okay. All right.

2 At the start of this response, we really  
3 got a lot of support from our Department of Health  
4 and our local office. I can't say how important  
5 that was to us as we began to respond by developing  
6 a containment unit in our hospital.

7 Being a small community hospital,  
8 resources were definitely tapped, but we were able  
9 to isolate a 21-bed unit and create a safe  
10 environment; creating a lot of negative pressure  
11 rooms and areas for our staff to work in. And our  
12 local Department of Health was very helpful in  
13 helping us establish that unit, as well as our  
14 staff here in the hospital in helping to pull it  
15 together.

16 We started our incident command  
17 structure back in the first week of March, seeing  
18 the wave coming and as the direction of the local  
19 officials. And our incident command involved not  
20 only our local government, it involved, obviously,  
21 our health system, our affiliate, our federally-  
22 qualified health care center, and included in our  
23 system where our nursing homes in the area, and  
24 most recently as there has been a return to  
25 schools, our schools have joined our incident

1 command system as well.

2 We hold bi-weekly meetings at this  
3 point. They were more frequent during the height  
4 of our initial hit. But we hold bi-weekly meetings  
5 to kind of give updates and just get a pulse for  
6 what's happening in the community and what's going  
7 on and try to share information.

8 Our physician leadership here at the  
9 hospital has also provided clinical expertise to  
10 nursing homes and the schools as they're developing  
11 policies and procedures in response to COVID-19,  
12 and that has been widely accepted and appreciated.  
13 We have a community physician, Doctor Cruz, and  
14 Doctor MacVeigh, who's our hospitalist critical  
15 care medicine trained physician who offered their  
16 services, essentially, around the clock to be able  
17 for questions and clinical concerns.

18 Most of our patients were seen in the  
19 initial hit back in March and April. We had a  
20 total of 42 patients since that time be  
21 hospitalized at our institution in our containment  
22 unit. I'm proud to say that, however, our death  
23 rate was lower than the national average based on  
24 the number of infections in the area, and I  
25 attribute that mainly to our physicians and staff.

1 We were never at a situation where we were either  
2 out of ventilators or out of staff to care for  
3 patients.

4           When I tell you as a 95-bed hospital,  
5 with running about an average capacity of 50  
6 patients a day, it really put a strain on us when  
7 our containment unit even became at a 50 percent  
8 occupancy. So at 11 and 12 patients, it was very  
9 intense, and the community response, be it  
10 supplying us at the time, PPE was a huge concern,  
11 homemade gowns, homemade masks, cleaning supplies,  
12 and 95 masks from people's basements and local  
13 businesses really stepped up and were dropping off  
14 supplies. It might have been a box with five  
15 masks, or someone who just had an N95 mask in their  
16 basement and dropped it off at our front desk. The  
17 community response initially was fabulous.

18           It was large, in part, to all that  
19 helped that we were able to do a good job  
20 containing it. To date, we've had no cross-  
21 contaminations in the hospital of COVID-19 to  
22 patients or staff as it related to the care, as we  
23 did contact tracing from the very beginning and did  
24 that in combination with the Department of Health.  
25 That entire response really was a success because

1 it was a community response.

2           Treatments, we offer the full gamut here  
3 that was available. The hydroxychloroquine, the  
4 steroids, the antibiotics were appropriate.  
5 Initially, there was, obviously, not the  
6 convalescent plasma when we were in the height of  
7 our hit, and we did do some blood transfusions  
8 which did prove to be somewhat effective in buying  
9 some time sometimes for patients to get them over a  
10 hump, and that really went quite well again for  
11 buying time. I don't know that there was a huge  
12 change in patient outcome as it related to that.

13           The biggest change I think we saw was  
14 the initial clinical data coming out was to  
15 ventilate patients, ventilate them early before  
16 they really got into trouble. It may be somewhat  
17 coincidental, but I can tell you that it was during  
18 that time we saw our string of fatalities in that  
19 process. And then quickly, those were reversed and  
20 the modalities of using more aerosolizing  
21 treatments, which were kind of initially viewed as  
22 very dangerous and harmful, potentially, to the  
23 staff. In the right environment, could be done  
24 safely. Patients actually began to do better, and  
25 avoiding getting them ventilated in an early

1 fashion was really seemed to be the key.

2 I know, at least for us, the ability to  
3 provide one-on-one nursing, physician coverage to  
4 the degree that was, truthfully, probably not seen  
5 in a small community hospital like ours, with  
6 critical care folks rounding and physicians and  
7 surgeons and medicine all joining together to be  
8 sure that the containment unit was covered 24 hours  
9 a day with either boots on the ground or immediate  
10 access to having boots on the ground, really, is  
11 probably what contributed to the good outcomes that  
12 our patients did receive.

13 We continue to offer whatever services  
14 we now have for Remdesivir available. However, to  
15 date, we have not had any patients critically  
16 enough to require that. So, in my opinion, that's  
17 a good thing. But again, it is available to us.  
18 And we have really tried to stay up on all of the  
19 current treatment recommendations, and what would  
20 bring the best care to our patients here at the  
21 hospital and, you know, continue to work also  
22 locally with our other hospitals.

23 We actually formed a somewhat unofficial  
24 network. There's obviously all the pre-planned  
25 hospital engagement contracts and the task force

1 throughout the state. But as a group of local  
2 hospitals in the Wayne and Lackawanna County area,  
3 we kind of got together early on, probably the end  
4 of March, beginning of April, and decided that with  
5 the nationwide struggle that was going on, even if  
6 we needed help with the best of intentions of  
7 everyone wanting to help, we probably weren't going  
8 to receive it.

9 So, we set up an unofficial, kind of  
10 network, where, in 15 minutes through a group page,  
11 all clinical leaders, nursing directors or critical  
12 care directors would get on a phone. If one or  
13 more hospitals were suffering some shortage of  
14 something, be it staff, supplies, something that  
15 needs an absolute immediate response, that we would  
16 get on the phone in 15 minutes and we would respond  
17 to one another's request to the degree that we  
18 could.

19 I think it was a good networking  
20 situation, and there were probably a total of about  
21 six hospitals that agreed to participate. We kind  
22 of just chatted weekly for about 10 or 15 minutes  
23 just to keep in touch with each other until such a  
24 time that we all felt as though the initial threat  
25 of having that immediate need was over.

1           Again, it wasn't done to circumvent any  
2 of the regular systems that were in place. But  
3 just as a very quick process to just, listen, we're  
4 short a ventilator. Who has a ventilator they can  
5 give us? Or, we are short-staffed. Is there  
6 somebody that can send one or two nurses? Those  
7 were kind of the agreements that we came up with on  
8 the fly.

9           I do have to say, it was nice knowing we  
10 had that help and support, because any requests  
11 just for things like supplies or staff that go  
12 through the normal mutual-aid chain, obviously,  
13 would take time to respond. We all committed to  
14 one another that for the things we can handle  
15 amongst ourselves, we were going to work together  
16 on.

17           So, again, that was kind of our  
18 response, you know -- Statistically, again, I'm  
19 really proud to say we did not see the same death  
20 rate. But, hopefully, it continues that way as  
21 we're now beginning to see numbers tick up again.  
22 Our containment unit since the initial hit has only  
23 ever had one or two patients on it at a time. And  
24 many weeks it has been closed with no patients, so  
25 that's a good thing also.

1 I'd be happy to answer any questions  
2 that the committee might have. Those are my  
3 comments. Hopefully, I covered what the committee  
4 was looking for.

5 MAJORITY CHAIRWOMAN RAPP: Thank you,  
6 Jim, and yes, you did.

7 As I stated at the beginning of the  
8 meeting, unfortunately, the caucuses have been  
9 called to a meeting. Actually, we were called in  
10 at 10, but we decided to go ahead and allow the  
11 presenters to present today because we think it's  
12 important. So, I want to thank each and every one  
13 of you for your time today. It was very  
14 informative and we truly appreciate your time.

15 Obviously, we are all looking for a  
16 vaccine, or, you know, treatments, or just that  
17 this pandemic would go away. I don't think that's  
18 going to happen. But we certainly very much  
19 appreciate your input, and you taking your time out  
20 of our busy schedule to present to us today.

21 And again, to the members, please feel  
22 free to, if you do have questions, Erica and  
23 Whitney can take your questions, and we can forward  
24 them on to our presenters today.

25 Thank you, members. Thank you, too,

1 members of the public who joined us today. Thank  
2 you.

3 (At 10:22 a.m., the Zoom hearing  
4 concluded).

5 \* \* \* \*

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

## C E R T I F I C A T E

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

I, Karen J. Meister, Reporter, Notary Public, duly commissioned and qualified in and for the County of York, Commonwealth of Pennsylvania, hereby certify that the foregoing is a true and accurate transcript, to the best of my ability, of a public hearing taken from a Zoom/videotape recording and reduced to computer printout under my supervision.

This certification does not apply to any reproduction of the same by any means unless under my direct control and/or supervision.

Karen J. Meister  
Reporter, Notary Public